

Exhibit D

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF TEXAS
MARSHALL DIVISION

HEADWATER RESEARCH, LLC,) (
PLAINTIFF,) (CIVIL ACTION NO.
2:23-CV-103-JRG-RSP
VS.) (MARSHALL, TEXAS
SAMSUNG ELECTRONICS AMERICA,) (FEBRUARY 20, 2025
INC., ET AL.,) (
DEFENDANTS.) (9:00 A.M.

EVIDENTIARY HEARING

BEFORE THE HONORABLE ROY S. PAYNE
UNITED STATES MAGISTRATE JUDGE

FOR THE PLAINTIFF: Mr. Kristopher R. Davis
Mr. Marc Fenster
Mr. Reza Mirzaie
Mr. Jason Wietholter
Russ August & Kabat
12424 Wilshire Boulevard
12th Floor
Los Angeles, CA 90025

Ms. Andrea L. Fair
Miller Fair Henry PLLC
1507 Bill Owens Parkway
Longview, TX 75604

FOR THE DEFENDANTS: Mr. Michael J. McKeon
Fish & Richardson PC
1000 Maine Ave., SW
Suite 1000
Washington, DC 20024

FOR THE DEFENDANTS:

Mr. John W. Thornburgh
Fish & Richardson PC
12860 El Camino Real
Suite 400
San Diego, CA 92130

Mr. Thad C. Kodish
Mr. Jonathan B. Bright
Fish & Richardson PC
1180 Peachtree Street NE
21st Floor
Atlanta, GA 30309

Mr. Lance L. Yang
Quinn Emanuel Urquhart & Sullivan
865 S. Figueroa Street
10th Floor
Los Angeles, CA 90017

Mr. Leonard Davis
Fish & Richardson PC
1717 Main Street
Suite 5000
Dallas, TX 75201

Ms. Melissa Smith
Gillam & Smith, LLP
303 South Washington Avenue
Marshall, TX 75670

COURT REPORTER:

Ms. Shelly Holmes, CSR, TCRR
Official Court Reporter
Honorable Robert W. Schroeder III
United States District Judge
Eastern District of Texas
Texarkana Division
500 North State Line Avenue
Texarkana, Texas 75501
shelly_holmes@txed.uscourts.gov

(Proceedings recorded by mechanical stenography, transcript
produced on a CAT system.)

1 COURT SECURITY OFFICER: All rise.

2 THE COURT: Good morning. Please be seated.

3 For the record, we're here for the evidentiary
4 hearing on the standing issue, which was ordered in
5 conjunction with the cross motions filed by each side on
6 the standing issue.

7 This is in Headwater Research versus Samsung
8 Electronics, Case No. 2:23-103.

9 Would counsel state their appearances?

10 MS. FAIR: Good morning, Your Honor. Andrea Fair
11 on behalf of Headwater. I'm joined today by Mr. Marc
12 Fenster, Mr. Reza Mirzaie, Mr. Jason Wietholter,
13 Mr. Kristopher Davis, and Dr. Greg Raleigh is with us, as
14 well.

15 THE COURT: All right.

16 MS. FAIR: We're ready to proceed.

17 THE COURT: Thank you, Ms. Fair.

18 MS. SMITH: Good morning, Your Honor. Melissa
19 Smith on behalf of Samsung. I'm joined by Mr. Mike McKeon,
20 Mr. Thad Kodish, Mr. John Thornburgh, Mr. Leonard Davis.

21 THE COURT: Good morning.

22 MS. SMITH: Mr. Jonathan Bright.

23 MR. BRIGHT: Good morning, Your Honor.

24 THE COURT: Good morning.

25 MS. SMITH: Also joined from Quinn Emanuel,

1 Mr. Lance Yang.

2 And Samsung has a client representative this
3 morning, Mr. John Choi.

4 And then Qualcomm outside counsel, Mr. Charles
5 Walker, is also with us this morning.

6 And, Your Honor, we're ready to proceed.

7 THE COURT: All right. Thank you, Ms. Smith.

8 I believe that the parties have agreed on a format
9 for this hearing with the understanding that the Plaintiff
10 will start off with examination of Dr. Raleigh, followed by
11 the cross-examination, and I guess any redirect and recross
12 thereafter, followed by arguments from both sides at the
13 end.

14 So without further adieu, you may call your first
15 witness, Mr. Davis.

16 MR. KRIS DAVIS: Thank you, Your Honor. Kris
17 Davis for Headwater, and we call Dr. Greg Raleigh.

18 THE COURT: All right. Dr. Raleigh, if you would
19 come forward and be sworn, please.

20 MR. KRIS DAVIS: Your Honor, we have some binders
21 to distribute, as well.

22 THE COURT: All right.

23 (Witness sworn.)

24 THE COURT: Go ahead, Mr. Davis.

25 MR. DAVIS: All right.

1 GREGORY RALEIGH, PLAINTIFF'S WITNESS, SWORN

2 DIRECT EXAMINATION

3 BY MR. KRIS DAVIS:

4 Q. Good morning, Dr. Raleigh. Could you please introduce
5 yourself to the Court, including what your role is in this
6 case?

7 A. Good morning. My name is Greg Raleigh. I'm the
8 principal inventor at Headwater Research, the Plaintiff,
9 and I'm the founder of the company.

10 Q. All right. As you know, we're here because Samsung
11 alleges that Qualcomm has an ownership interest in
12 Headwater's patents.

13 Were any of the claimed inventions in Headwater's
14 patents conceived while you worked at Qualcomm?

15 A. No, sir, not at all.

16 Q. Now, Dr. Raleigh, you previously testified here at an
17 evidentiary hearing in the earlier 422 case between
18 Headwater and Samsung. Do you recall that?

19 A. I do, sir.

20 Q. And at that hearing, you testified about your invention
21 timeline for the patents at issue in that case. Do you
22 recall that, as well?

23 A. I did.

24 Q. Where do the claimed inventions at issue in this case
25 fit into the invention timeline that you described at that

1 earlier hearing?

2 A. Yes. So at the 422 hearing, we discussed many things,
3 and one of the key elements was that I had attended a Best
4 Buy meeting shortly after I left Qualcomm. And at that
5 meeting, a variety of network equipment vendors had pitched
6 solutions for Best Buy for a mobile value added network
7 operator that they wanted to build.

8 And one of the principal things that came out in
9 that hearing was that on the way home from that meeting, I
10 realized those technologies were not going to work well.
11 And I had this ah-ha, if you will, that maybe I can move
12 some of that network technology onto the device.

13 And in the particular case that we had in 422,
14 many things followed from that where I implemented
15 technology on the device to do things that were
16 traditionally done in the network, and that eventually led
17 later to -- in 2010 or so to -- to those patents in that
18 case.

19 In this case, the key ah-ha was a second notion
20 that came later than the first ah-ha, because as I began
21 implementing the notion of moving network equipment
22 technology to the device, I ran across a new problem in
23 that that -- the original approach I had for doing that
24 caused chatter or, you know, excess communication between
25 the agents on the device that I was creating and network

1 servers. And that was actually defeating the purpose of
2 what I referred to in the last hearing as device-assisted
3 services. And I realized that was going to be an
4 impediment to success of the technology.

5 So I had to come up with new technology in order
6 to resolve that problem, and that's -- that new technology
7 essentially was an aggregated, you know, messaging channel,
8 and that's the subject of the patents in this case.

9 So there was the ah-ha moment we talked about at
10 the last hearing, and then that was followed later by a new
11 ah-ha that I had created a problem, and then these patents
12 solved that problem.

13 Q. All right. Now, are there any documents supporting the
14 timeline of when you conceived the claims -- inventions at
15 issue here?

16 A. Yes, sir. During my deposition, Samsung reviewed a
17 number of documents with me, and several of those documents
18 clearly show the timeline as to where this came up.

19 Q. What sort of documents are you referring to?

20 A. Well, there are many documents. There are three in
21 particular. There were -- there was a document in
22 September of '08, there was another document that came up
23 in the 422 trial in October of '08, and then there was a
24 third document that came up in January of '09. And this
25 technology is not mentioned at all. It clearly wasn't

1 evident in my thinking in the September and the October
2 presentations, and these are presentations I gave to Best
3 Buy because they were interested in investing, and I was
4 keeping them apprised as to what we were doing.

5 And then there's embodiments in a figure that show
6 up in January of 2009 that are very close, if not almost
7 identical, to what shows up in the patent later. So that
8 shows that by January I had the idea, and in October I did
9 not.

10 Q. All right. And does Qualcomm's conduct over the years
11 support Samsung's argument?

12 A. Not at all, sir.

13 Q. And why do you say that?

14 A. Well, when somebody inside of Qualcomm made some sort
15 of vague insinuation that maybe I invented something while
16 I was at Qualcomm, we had already shown Qualcomm the
17 patents and the technology. I explained the timeline, just
18 as I'm doing here. We had many, many meetings, so it would
19 have been clear that, you know, there was nothing conceived
20 while I was at Qualcomm. I believe I proved that.

21 And then Qualcomm never made any claim. We asked
22 them again and again repeatedly, you know, if you think you
23 have something, show us what it is. We know it's
24 impossible for you to have anything because it didn't
25 happen.

1 And then they told us they consciously let the
2 statute of limitations to expire. They made no claim. And
3 there just was nothing to it.

4 And then after that, they repeatedly offered to
5 pay millions of dollars for the patents. Someone who
6 thought they owned something wouldn't offer to pay tens of
7 millions of dollars.

8 And in addition, I was told verbally by the people
9 who wanted to work with us at Qualcomm that they felt the
10 whole episode was a giant nuisance, that they really wanted
11 to work with us, that they were aware of, you know, what
12 the internal, you know, insinuations were, and they thought
13 they were baseless.

14 Q. All right. Now, over the course of your career, just
15 focusing on your background for a moment, about how many
16 patents or patent applications name you as an inventor?

17 A. Roughly 600, I believe -- over 600.

18 Q. And can you tell us what your educational background
19 is?

20 A. I have an electrical engineering bachelor's degree from
21 California Polytechnic San Luis Obispo, and a master's and
22 a Ph.D. in electrical engineering from Stanford University.

23 Q. And what did you do after you finished at Stanford?

24 A. I created a company called Clarity Wireless.

25 Q. Did you invent anything during your time at Clarity?

1 A. Yes. At Clarity, we patented, you know, roughly three
2 dozen patents. It became the seminal patents for a
3 technology called MIMO Wireless that changed a hundred
4 years of thinking about radio science and ended up changing
5 cellular, 4G, 5G, 6G, and WiFi.

6 Q. And was Clarity ever acquired?

7 A. Yes, Clarity was acquired by Cisco Systems.

8 Q. Okay. And after your time at Clarity and Cisco, what
9 did you do?

10 A. I decided to revolutionize WiFi, and I started another
11 company called Airgo Networks.

12 Q. And were you inventing anything at Airgo?

13 A. Yes. We invented new technologies that -- that ended
14 up being in -- you know, the new standards of today,
15 starting with 802.11. And we had several patents, nowhere
16 near as many as we had at Clarity or here at Headwater, but
17 we had several patents that became important.

18 Q. All right. Was Airgo acquired?

19 A. Yes, Airgo was acquired by Qualcomm.

20 Q. And did you stay on at Qualcomm after the acquisition?

21 A. I did. I worked at Qualcomm for a couple of years.

22 Q. All right. And did you invent anything while you were
23 at Qualcomm?

24 A. No.

25 Q. Why not?

1 A. They decided to take the engineering team from Airgo,
2 and they moved it -- Qualcomm had an engineering group, and
3 then they had a business and strategy group, and they
4 decided the best use of my talents for Qualcomm was to put
5 me in the business and strategy group. And so my role was
6 really strategy and business and marketing to -- to try to
7 get their chipsets into new markets. And I also helped
8 them with their 4G strategy. And that's how they wanted to
9 use my -- my talent, and it just didn't have anything to do
10 with invention really.

11 Q. Uh-huh. Now, while you were at Qualcomm, did you work
12 on anything involving the technology of the claimed
13 inventions here?

14 A. No, sir, not at all.

15 Q. All right. And about how many patents or patent
16 applications does Headwater have?

17 A. Roughly 500, I believe, sir.

18 Q. Do you have a sense of how many of those name you as an
19 inventor?

20 A. All or most.

21 Q. Okay. And did you begin working on Headwater and ItsOn
22 after you left Qualcomm?

23 A. Yes, sir.

24 Q. And that's what you do today at Headwater?

25 A. Yes, sir.

1 Q. Okay. All right. Now, the patents at issue in this
2 case are U.S. Patent Nos. 9,198,117, 9,615,192, and
3 8,406,733; is that right?

4 A. Yes, sir.

5 Q. Okay. Are there any assignment records identifying
6 Headwater Research as the owner of the asserted patents?

7 A. Yes, sir. We were careful and meticulous about our
8 assignments and our title -- maintaining our title. It's
9 very important to us.

10 MR. KRIS DAVIS: Let's pull up Exhibit 1 in your
11 binder.

12 Q. (By Mr. Kris Davis) And do you see near the top of the
13 first page a reference to U.S. Patent No. 9,198,117?

14 A. Yes, sir.

15 Q. Can you explain what this exhibit shows?

16 A. This is the assignment abstract for the '117 patent,
17 showing the chain of title.

18 Q. And I see there are two assignments shown in this
19 record. Can you explain the first assignment in time?

20 A. Yes, sir. That's the assignment from me, as the sole
21 inventor, to Headwater Partners I LLC.

22 Q. And what is the second assignment in time?

23 A. We eventually merged Headwater Partners I LLC with
24 another entity called Headwater Management LLC, and that's
25 how we formed Headwater Research. So Headwater Research

1 was the surviving entity of Headwater Partners I, and this
2 assignment just conveys the title from Headwater Partners I
3 to the surviving entity, Headwater Research.

4 Q. And Headwater Research is the Plaintiff here?

5 A. Yes, sir.

6 Q. Okay. Let's turn to Exhibit 2.

7 Do you see near the top of the first page here a
8 reference to U.S. Patent No. 9,615,192?

9 A. Yes, sir.

10 Q. And what does this exhibit show?

11 A. This is the assignment abstract for the '192 patent at
12 issue in this case. And it's the exact same set of
13 assignments, first from me to Headwater Partners I, and
14 then Headwater Partners I to the surviving entity,
15 Headwater Research.

16 Q. Okay. And now let's turn to Exhibit 3.

17 Do you see near the top of that first page a
18 reference to U.S. Patent No. 8,406,733?

19 A. Yes, sir.

20 Q. And what does this exhibit show?

21 A. It's the exact same set of assignments for the '733
22 patent.

23 Q. Okay. Now, going back to your time at Qualcomm, were
24 any of the claimed inventions here conceived or reduced to
25 practice while at Qualcomm?

1 A. Not at all, sir.

2 Q. Did you use any Qualcomm resources or equipment to
3 conceive or reduce to practice any Headwater inventions?

4 A. No, sir. As the timeline clearly shows, I had left
5 Qualcomm before this was conceived or reduced to practice
6 and I had -- I no longer had any access to Qualcomm
7 resources.

8 Q. And when specifically did you leave Qualcomm?

9 A. I left Qualcomm on September 19 of 2008.

10 Q. What prompted you to leave Qualcomm?

11 A. The main driver for leaving Qualcomm was that my
12 daughter was going to graduate. It was her -- the
13 beginning of her senior year in high school. She was going
14 to go away to college. I had been gone for two years
15 during the week, and I was a weekend dad. And I told
16 Qualcomm I just couldn't do that anymore and that I
17 promised my family I'd be home.

18 Q. And when you were thinking about leaving Qualcomm, did
19 you discuss that with anyone at the company?

20 A. Yes, sir.

21 Q. Who did you talk about that with?

22 A. Most of the conversations were with the CEO, Paul
23 Jacobs. I had done a couple of small projects for him. He
24 liked my work. He liked what I did for their 4G strategy,
25 and he wanted me to stay and move into a more technical

1 role.

2 Q. And did you talk about potentially staying?

3 A. Yes, we spent roughly four months talking about a
4 variety of projects. He said that I could have a -- an
5 office in the San Francisco Bay area near Woodside where I
6 was living at the time. And, you know, I -- we went over
7 many projects to see if there was something of mutual
8 interest that I could work on.

9 Q. And has your conversation with Dr. Jacobs back in 2008
10 come up in the context of Samsung's allegations here?

11 A. Yes, sir. Samsung has made some false allegations
12 about what I talked to Dr. Jacobs about.

13 Q. What do you mean by that?

14 A. Well, they took a passing comment that was necessarily
15 very brief and abbreviated and turned it into something
16 that just wasn't at all, trying to say that I had admitted
17 something.

18 Q. Where was that passing comment made?

19 A. I was on a panel session that was discussing the
20 importance of U.S. invention, mainly the importance of
21 maintaining incentives for inventors like me so that we
22 take risks and do things that larger companies won't do
23 generally and that -- we were discussing the fact that
24 those incentives are under attack in a variety of ways
25 that -- with legislation and rulings and so on.

1 And then as an inventor, I was explaining how that
2 reduces the incentives for someone like me.

3 Q. And did your remarks in that panel capture your full
4 conversation with Dr. Jacobs?

5 A. Not even close. There was many things I had to leave
6 out. It was, you know, many conversations over four
7 months. And I was making an overall point, and there's --
8 you know, it would have been impossible to include all the
9 details that we're going to -- we go over in a setting like
10 this, because number one, it was irrelevant to the panel
11 and the point and the audience; and, number two, there's no
12 way I had time to go through all of that.

13 Q. All right. Now, if we go back to the topic of when you
14 left Qualcomm, was there anything besides wanting to be at
15 home and those conversations with Dr. Jacobs that drove the
16 specific timing of when you left Qualcomm?

17 A. Yes, sir.

18 Q. And what was that?

19 A. Well, Best Buy -- I had been working with Best Buy on a
20 project at Qualcomm. They came to know me through that.
21 And they found out that I was thinking about leaving, and
22 so because they knew my background and my talents, they
23 wanted me to help them with a project to build a mobile
24 value added network operator network that they wanted to
25 build, and they were trying to recruit me to help them do

1 that.

2 And there was this one meeting that they were
3 going to have where all these very accomplished equipment
4 vendors were going to come in and present their solutions
5 for Best Buy's business needs. And this was just a great
6 opportunity for me to -- I didn't know very much about
7 mobile value added network operators at the time, and this
8 was a great opportunity for me to learn quickly from the
9 best minds in the industry. And so that was a really
10 important event that I wanted to attend.

11 So when it became clear that, you know, after four
12 months we hadn't found a project, I told Paul, you know, I
13 really want to go to this meeting with Best Buy, and I'm
14 going to need to leave now. And so I left with about a
15 week to spare to get to that meeting and try to learn
16 something about this field.

17 Q. And, I'm sorry, when did the meeting with Best Buy
18 take place?

19 A. September 24th of 2008, so about a week after I left
20 Qualcomm, and that -- that's really what drove my exit
21 timing.

22 Q. I see.

23 And so you mentioned that there were vendors
24 presenting during this meeting with Best Buy. After you
25 saw those presentations, what did that lead you to?

1 A. Yes. So as I testified in the 422 evidentiary hearing,
2 I spent a couple days on Best Buy's side of the table
3 listening to these network equipment vendors pitch, you
4 know, how they wanted to solve Best Buy's business needs,
5 and I recognized that that really wasn't going to work very
6 well. I asked a lot of pointed questions on behalf of Best
7 Buy, and they did not have good answers for those
8 questions.

9 So I became excited because, you know, I like
10 finding problems people haven't solved that are important,
11 and I realized that if I could come up with something new,
12 then it could be quite valuable. And so that got me very
13 interested to try to find something new.

14 And that's -- you know, as I testified at the last
15 hearing, on the way home, I came up with this -- I thought,
16 okay, what's the white space? You know, what is it that I
17 can do to turn this problem upside down and solve it in a
18 different way that's going to be much better? And then
19 that's when I came up with the idea that -- move some of
20 these network functions that weren't working well in the
21 network, it was too late, the technology just didn't work
22 because you don't know what's happening on the device, so
23 move those functions onto the device.

24 And that was the inkling that led to many of --
25 many of Headwater's inventions. And at that point, it was

1 just an idea like, hey, maybe I could do this and what
2 would it mean?

3 Q. And so if we focus back on the patents asserted here,
4 at what point did you conceive of those inventions that are
5 in the patents asserted here?

6 A. Yes. So as I mentioned a little bit ago -- so the
7 first step in attempting to implement the inkling that I
8 got on the way home, is, okay, how would I go about moving
9 network functions into a device? You know, I worked at it
10 for a month or two, and eventually came to the conclusion
11 that I would have a series of agents, applications, if you
12 will, software elements on the device that would do a
13 variety of functions that are described in the patent.

14 And so I started working on the embodiments for
15 these agents -- for each of these agents and how they would
16 function. Each agent had a counterpart server, so the
17 agent talks to its server.

18 And in my first embodiments attempting to make
19 this work, I had an agent to a server, another agent to a
20 server. So there were many agents or applications talking
21 to many servers.

22 And so as I started solving the problem of what
23 those agents did, I stepped back, and I said, wait a
24 minute, I've created a whole new problem because now I have
25 all these apps talking to the servers which is one of the

1 things I wanted to control. And I was actually aggravating
2 one of the problems that I was trying to fix. And so I
3 said, okay, well, that's not going to work. That's going
4 to actually prevent device-assisted services from being
5 effective. So I said, okay, how do I solve that problem?

6 So around November or so, I had recognized that,
7 okay, I have to do something else in order to make the
8 first idea work at all. A lot of times when you invent,
9 you solve a problem, that creates another problem, and then
10 you have to solve that problem. And that's precisely what
11 happened in this case.

12 So the first notion I had was, okay, I need to
13 aggregate those communications from those agents to their
14 servers into a common messaging channel -- I called it a
15 control link -- in a variety of embodiments, and so that
16 was part of the solution.

17 But then as I continued to develop that, I started
18 understanding that, well, it's not just putting it into a
19 common link because that could still keep the modem alive,
20 that could still burn battery, that could still clog the
21 network if they're constantly chattering even if it's a
22 common link. So then I said, okay, now I have to control
23 the way those communications take place.

24 And then finally later on, more toward where the
25 patent was filed, I realized that needs to be secure, and

1 I'm going to need some kind of interagent -- an
2 interprocess communication service on the device, which I
3 refer to in various ways, including an agent communication
4 bus.

5 So that was a series of discoveries and
6 embodiments and developments that started around November
7 and then became fairly mature by -- by early January.

8 Q. And so had you conceived of the claimed inventions as
9 of November 2008?

10 A. No. November was when I first started realizing I
11 needed some kind of common aggregated channel with some
12 kind of a bus to address the agents or applications on the
13 device. And then I -- you know, over time I came up with
14 the other embodiments.

15 Q. All right. So let's turn to the next exhibit in your
16 binder. That's Exhibit 4.

17 If you look at the top left corner, do you see
18 Application No. 61206354?

19 A. Yes, sir.

20 Q. And do you see next to that a filing date of January
21 28th, 2009?

22 A. Yes.

23 Q. Dr. Raleigh, did you have any co-inventors on this '354
24 application?

25 A. No, sir. At this point, I was just working on my own.

1 Q. Do you understand this '354 provisional application is
2 the application to which the asserted patents claim
3 priority here?

4 A. Yes, sir.

5 Q. Are you aware of Samsung ever disputing that the
6 priority date of the asserted patents is January 28th,
7 2009, which is the filing date of this provisional?

8 A. No, sir. I don't think that's ever been disputed.

9 Q. All right. Let's turn to Exhibit 5.

10 Do you see this is the '117 patent -- U.S. Patent
11 No. 9,198,117?

12 A. Yes, sir.

13 Q. Let's turn to the end of the document so that we can
14 take a look at Claim 1 of the '117 patent.

15 I see a reference to device messaging agents. Did
16 you work on or think about anything like that while you
17 were at Qualcomm?

18 A. No, sir, I had no need to.

19 Q. I also saw network message servers referred to in '117,
20 Claim 1. Did you work on or think about anything like that
21 at Qualcomm?

22 A. No, sir.

23 Q. And then at the end of '117, Claim 1, there is a
24 reference to a secure interprocess communication service.
25 Did you work on or think about anything like that while you

1 were at Qualcomm?

2 A. No, I knew nothing about this. Something I had to
3 learn about in order to solve the problem I mentioned
4 earlier.

5 Q. Let's turn to Exhibit 6.

6 Do you see this is U.S. Patent No. 9,615,192?

7 A. Yes, sir.

8 Q. And, again, let's go to the end of the patent, and
9 we'll take a look at Claim 1.

10 So in '192, Claim 1, I see a reference to a
11 message link server. Do you see that?

12 A. Yes, sir.

13 Q. Did you work on or think about anything like that while
14 you were at Qualcomm?

15 A. Not at all, sir.

16 Q. There's also a reference to message delivery triggers
17 in '192, Claim 1. Did you work on or think about anything
18 like that while at Qualcomm?

19 A. No, sir.

20 Q. And then about halfway through '192, Claim 1, there's a
21 reference to a message buffer system. Did you work on or
22 think about anything like that at Qualcomm?

23 A. No, sir.

24 Q. All right. And then let's turn to Exhibit 7.

25 You see this one is U.S. Patent No. 8,406,733, the

1 '733 patent here?

2 A. Yes, sir.

3 Q. So again, let's turn to the end of the patent, and
4 we'll look at Claim 1 of the '733 patent.

5 There's a reference to a service control link
6 here. Did you work on or think about anything like that
7 while you were at Qualcomm?

8 A. No, sir.

9 Q. And then about halfway through '733, Claim 1, there's a
10 reference to agent identifiers. Did you work on or think
11 about anything like that while at Qualcomm?

12 A. No, sir. Again, these are all limitations that came up
13 after I had to solve this second ah-ha problem.

14 Q. So we've looked at a number of different features in
15 the asserted patent claims here. Are those described in
16 the '354 provisional application we saw?

17 A. Yes, sir, there's many embodiments in the '354
18 provisional that support these claim limitations.

19 Q. And the '354 application was filed on January 28th,
20 2009, right?

21 A. Yes, sir.

22 Q. And when, again, did you leave Qualcomm?

23 A. September 19th of 2008.

24 Q. Okay. So that was about four and a half months before
25 the filing of this '354 provisional application, right?

1 A. Yes, sir.

2 Q. Okay. Now, after you left Qualcomm, I believe you
3 mentioned earlier some presentations about technology. Can
4 you tell me more about that?

5 A. Yes. Best Buy was interested in investing, and we
6 needed a, you know, corporate partner to invest and provide
7 capital and to provide -- you know, it's always good to
8 have a large corporate partner when you're starting a
9 company.

10 So we were providing them regular updates along
11 the way as to what our progress was, and there were a
12 variety of presentations that we made that Samsung asked me
13 about in my depositions.

14 Q. Okay. Let's take a look at those.

15 So let's turn to Exhibit 17 in your binder.

16 MR. KRIS DAVIS: For the record, this is labeled
17 HW103_00015425.

18 Q. (By Mr. Kris Davis) And do you see that this is an
19 ItsOn presentation dated September 24, 2008?

20 A. Yes, sir. ItsOn was the product company that we
21 started to basically license and productize Headwater's
22 patented technology. And this is a presentation for Best
23 Buy.

24 Q. And the timing of this presentation, did this have
25 anything to do with the meeting that you described?

1 A. Yes. This was a calling card presentation, if you
2 will, that Best Buy asked us to prepare. They had asked me
3 to, as I mentioned, help them, you know, figure out how to
4 build an MVNO. And they had provided us with a variety of
5 things that they wanted to see in the presentation and what
6 they wanted us to do. So this was a presentation that
7 basically allowed us to explain to the room what our
8 purpose was, why we were at the meeting.

9 Q. And does this September 24, 2008 presentation describe
10 any of the claimed inventions we saw in the asserted
11 patents?

12 A. No, sir, not at all. There's really no technology that
13 was inventive or wasn't conventional wisdom and
14 well-understood at the time in this presentation.

15 MR. KRIS DAVIS: All right. Let's turn to Exhibit
16 18, which is labeled HW103_00014554.

17 Q. (By Mr. Kris Davis) Do you see this one is an ItsOn
18 presentation dated October 23rd, 2008?

19 A. Yes, sir.

20 Q. And who was this presentation for?

21 A. Again, this is a presentation for Best Buy.

22 Q. All right. And if we turn to the page ending in 14557,
23 this is a slide titled "New: Device Implemented Network."

24 Can you explain that?

25 A. Yes, sir. So the previous Slide, 556, is either

1 identical or very similar to, you know, the calling card
2 presentation that Best Buy had asked us to present roughly
3 a month earlier. And then the 557 sheet is something we
4 went over at the last evidentiary hearing and that
5 Your Honor asked me about.

6 And this is explaining to Best Buy that, hey, I
7 have a new idea, and it involves moving network technology
8 onto the device, and that's why it's called Device
9 Implemented Network here.

10 And I wasn't very far along, but I had the idea at
11 this point, and this illustrates that -- you know, the
12 ah-ha had been conceived. There weren't really any
13 embodiments here that one could patent, but it was starting
14 to explain where I was in my thinking and that I was
15 working on developing this kind of a -- of technology. And
16 I eventually called it device-assisted services as opposed
17 to device implemented network.

18 Q. And was the idea that you referred to there the idea
19 for the inventions of the asserted patents here?

20 A. No, not at all. This is really before I realized that
21 this approach was going to cause the other problem that I
22 mentioned. And so sometime shortly -- this is, I believe,
23 October -- the end of October 2008. Sometime shortly after
24 this, I recognized that, okay, I had created this chatter
25 problem that I've mentioned a couple of times now, and then

1 I had to do something else. And that was roughly in the
2 November time frame. So it was after this. So this
3 presentation has nothing about the asserted patents here.

4 Q. Now, let's turn to Exhibit 19.

5 That one is labeled HW103_00014134.

6 Do you see this is another ItsOn presentation
7 that's called Product Overview and is dated January 6th,
8 2009?

9 A. Yes, sir.

10 Q. Who was this presentation for?

11 A. Again, for Best Buy. It was, again, giving them an
12 update as to where we were and how we were coming along.

13 Q. Let's turn to the page ending in 14159.

14 And this page seems to include a pretty detailed
15 drawing.

16 Does this show anything about the claimed
17 inventions of the patents here?

18 A. Yes, sir. By this time, my thinking was pretty mature
19 about the claimed inventions in this case, and that's
20 illustrated in a variety of ways in this figure. And I can
21 go into some of them.

22 Q. Sure. Can you give me an example?

23 A. Yeah, sure. So, first of all, there's no one-to-one
24 connection between the applications on the device, what are
25 referred to agents -- for example, 494, 495, 491, 497,

1 et cetera. And they're corresponding servers that here are
2 labeled, for example, 454, 452, 450, et cetera, et cetera.
3 And there's a single aggregated messaging link or messaging
4 channel between the device and the server, and that link is
5 serviced by what is referred to on the device as a service
6 control device link 491. That's the messaging agent on the
7 device.

8 And then its corresponding server component or
9 network-side component is a service control link 438.
10 That's the messaging server. So all of the communications
11 for the various apps/agents go through that aggregated
12 channel.

13 There's also an agent communication bus which in
14 this figure hasn't been labeled yet. It's XXX. And that
15 is referred to in various ways in the claims in a process
16 communication bus, you know, messaging bus, and so on,
17 depending on which particular patent that you're looking
18 at. And this shows a pretty mature concept. I likely
19 didn't have all the embodiments quite yet, but I had many
20 of them that would support the limitations in the claim --
21 claims.

22 Q. Was this drawing in the January 6, 2009 presentation
23 included as a figure in any of the asserted patents here?

24 A. At least a couple of figures that are very, very
25 similar, and in one case almost identical to this were

1 included in the patent.

2 Q. Okay. So keep that drawing open.

3 Let's go back to your Exhibit 5. That was the
4 '117 patent. And let's turn to Figure 16 when you're
5 there.

6 Do you see Figure 16, Dr. Raleigh?

7 A. Yes, sir.

8 Q. What does this show?

9 A. This is a very -- it's a slightly different drawing,
10 but it's very similar. This is yet again even more mature
11 in terms of explaining what's going on in supporting the
12 embodiments that support the limitations in the claim.
13 You, again, can see those same elements, the service
14 control device link, which is the device messaging agent.
15 You can see the counterpart messaging server component
16 called service control server link. There's the same
17 aggregated channel and the same agent communication bus.
18 So this has those same fundamental embodiments that support
19 many of the limitations in the claim.

20 Q. So if we consider these two figures together, the one
21 from the January 6th, 2009 presentation and Figure 16 that
22 appears in the asserted patents, they trace back to January
23 28th, 2009, what does that tell you about when you
24 conceived and wrote down aspects of the claimed inventions?

25 A. Yeah, so there was no concept illustrated in the

1 October -- late October presentation. There is a pretty
2 mature, you know, concept illustrated in the January 6th.
3 So it says that by January 6th, I had something.

4 So it was in the inter -- intervening period
5 between -- like I say, I started in November, and then by
6 January 6th, I had a pretty mature concept.

7 Q. And do the presentations we've seen show that you
8 conceived any of the claimed inventions while you were
9 working at Qualcomm?

10 A. Not at all, sir. It's very much the opposite. It
11 demonstrates exactly the opposite.

12 Q. Why do you say it's the opposite?

13 A. Well, there was nothing in the presentations leading up
14 to October, there's nothing in the October presentation,
15 and then by January 6th, there is something. So there's
16 the absence of technology and then the presence of
17 technology.

18 Q. Now, I think you mentioned earlier, you previously
19 testified at an evidentiary hearing like this one in the
20 422 case between the parties, right?

21 A. Yes, sir.

22 Q. Let's turn to Exhibit 20.

23 And do you see this is the transcript of that
24 evidentiary hearing in the 422 case?

25 A. Yes, sir.

1 MR. KRIS DAVIS: Which for the record is Case No.
2 2:22-CV-00422.

3 Q. (By Mr. Kris Davis) And do you see this is dated July
4 25th, 2024?

5 A. Yes, sir.

6 Q. Where did the inventions at issue here fit along the
7 invention timeline that you described at that prior
8 hearing, just at a high level?

9 A. Yeah, okay. So as I mentioned now a couple of times,
10 this roughly hinged on the ah-ha moment on the flight back
11 from the vendor presentations in Minneapolis. And then,
12 you know, the October presentation that showed that I was
13 thinking in a different direction, not yet having any
14 embodiments or inventions but that there was a new
15 direction I was going in.

16 And then after that, I realized I had created this
17 problem with the chatter between the agents and the
18 servers. And so the ah-ha moment here happened later. It
19 happened roughly in November. And then from that ah-ha
20 moment over the intervening couple of months, I had
21 developed a fairly mature concept by January -- early
22 January.

23 Q. All right. So let's talk more about Qualcomm.

24 Since the time you left Qualcomm in September
25 2008, have you had any interactions with Qualcomm relating

1 to Headwater?

2 A. Yes, sir, many. I have good relationships with many
3 people at Qualcomm. We've had many interactions.

4 Q. And are you aware that some emails between you and
5 Qualcomm from the 2009 time frame have been produced in
6 litigation?

7 A. Yes, sir.

8 Q. Do any of those emails include any evidence that
9 Qualcomm has an ownership interest in Headwater patents?

10 A. None whatsoever.

11 Q. All right. Let's turn to Exhibit 8 in your binder.

12 MR. KRIS DAVIS: For the record, that is
13 HW_00092135.

14 Q. (By Mr. Kris Davis) Do you see this is an email chain
15 with the subject line Catch Up, that began on January 7th,
16 2009?

17 A. Yes, sir.

18 Q. I see that the first email on January 7th was sent from
19 your email address at mimoguy@mac.com to Paul Jacobs of
20 Qualcomm. Can you remind me who that was again?

21 A. Yes, sir. Dr. Jacobs was the CEO of Qualcomm.

22 Q. And why did you contact Dr. Jacobs?

23 A. Well, we had, you know, been working together. And as
24 I mentioned, we tried for about four months to find
25 something that I could do from the Bay Area that was

1 mutually interesting. We were both a little disappointed
2 we couldn't find something. And I had said, you know,
3 Paul, I'm going to go do this project with Best Buy and see
4 where it goes. And whatever I do, if I come up with
5 something I think would be interesting to Qualcomm, I'll
6 bring it back and see if we can work together. Because I
7 liked working with him, and I think he liked working with
8 me, and I wanted to see if there was a way for us to keep
9 working together.

10 Q. You recall earlier you talked about some remarks at a
11 panel where there was a reference to conversations with
12 Dr. Jacobs?

13 A. Yes, sir.

14 Q. Are you aware that Samsung asserts that your video
15 remarks or that panel statements admitted that you
16 conceived of Headwater's inventions while you were at
17 Qualcomm?

18 A. I'm aware of that false assertion.

19 Q. How do -- how does that assertion from Samsung square
20 with what happened in your 2008 and 2009 conversations with
21 Dr. Jacobs?

22 A. Yeah, so in the panel session, I had, you know, a
23 minute or two, I don't know exactly how long, to describe
24 my experiences over a 25, 30-year period and three
25 different technologies that I invented that were -- became

1 very important to the world.

2 And the point I was trying to make is that, you
3 know -- in all those cases, there was an area I'd like to
4 go off and explore that was outside the company's, you
5 know, interest, and so they didn't want to explore it.

6 What I couldn't explain in those passing comments
7 is the actual detail of what the conversation with Paul
8 originally was in 2008. And I think I testified in --
9 certainly in my depositions and probably in the 422
10 hearing, as well, that I -- I was interested in the area of
11 MVNOs, and I thought that maybe I could come up with
12 something in conventional network technology to improve
13 MVNOs and that there was a market need for that and that
14 would be interesting, and it involved, you know, network
15 operating systems for MVNOs. That was what I discussed
16 with Paul.

17 Well, it turned out that, you know, Paul wasn't
18 interested. I went off to talk to Best Buy. And very
19 quickly I took a very hard right turn, if you will, and
20 started thinking about these device-assisted services, and
21 I've testified extensively about that.

22 And so the general direction I thought I would go
23 wasn't an idea, concept, an invention, it was just I'd like
24 to go explore this area. I don't know a lot about it. I'd
25 like to learn, and I think I can come up with something.

1 It was going this way. It ended up going that way, and
2 that's -- you know, that would have been three times as
3 long as what I described, and it would have been irrelevant
4 to the audience. It would have been boring, and I would
5 have gone way over my time. I was already kind of over my
6 time.

7 It just didn't make any sense in that context to
8 bring all of that detail in. In this context, of course,
9 it makes sense, given these allegations. But I just could
10 not possibly go through all of that. It was irrelevant to
11 the -- to the topic.

12 Q. And now, what would have happened if, as Samsung
13 suggests, you had come back to Dr. Jacobs in 2009 after you
14 left Qualcomm and pitched him ideas for things that you had
15 already worked on at Qualcomm?

16 A. Yeah, so this is where things really fall apart.

17 So, Paul Jacobs -- Dr. Jacobs is one of the most
18 sophisticated technologists in the wireless field. And,
19 you know, he had his own patents, I think dozens, if not a
20 hundred patents. If I would have told him about these
21 ideas before I left and he wasn't interested and then I
22 would have come back and explained the exact same ideas to
23 him, first of all, why would he be interested? But more
24 importantly, given his degree of sophistication, he would
25 have said, wait a minute, this is what you pitched when you

1 were here, so we own this. You know, why are you bringing
2 it back to me saying you own it?

3 I would also have to be, you know, unbelievably
4 ignorant of intellectual property and patent rights to do
5 something like that. And, you know, given my background, I
6 don't think that's credible. So it just does not hold any
7 water whatsoever.

8 Q. And, Dr. Raleigh, do you recall who moderated the panel
9 that you were referring to?

10 A. Yes, sir.

11 Q. Who was that?

12 A. That was Dr. Kirti Gupta, a senior executive at
13 Qualcomm.

14 Q. And so the moderator was from Qualcomm, as well?

15 A. Yes, sir.

16 Q. So after you gave your remarks to the Qualcomm
17 executive moderating the panel about your experience at
18 Qualcomm, did Qualcomm take any action against you or
19 Headwater?

20 A. No, sir.

21 Q. Okay. Now, turning back to the email chain we were
22 just reviewing, there's a January 14th, 2009 email where
23 you say: Paul, thanks again for the chat. Would you like
24 me to wait to hear from Dave Wise, or do you want me to
25 give him a call?

1 Do you see that?

2 A. I'm sorry, which --

3 Q. Oh, yes, so this is in Exhibit 8.

4 A. 8.

5 Q. And in the email chain, there's a January 14th, 2009
6 email.

7 A. Yes.

8 Q. Okay. And do you see where you said: Paul, thanks
9 again for the chat. Would you like me to wait to hear from
10 Dave Wise, or do you want me to give him a call?

11 A. Yes, sir.

12 Q. Who's Dave Wise?

13 A. Dave Wise was a senior Qualcomm strategy and finance
14 executive that Paul assigned to get a deal done with us.

15 Q. All right. Let's turn to the next exhibit. That's
16 Exhibit 9. This is labeled HW_00092137.

17 Do you see this is an email chain with the subject
18 line Next Step --

19 A. Yes, sir.

20 Q. -- that runs from January 12 to January 16th, 2009?

21 A. Yes, sir.

22 Q. And focusing on the January 16th, 2009 email, that's
23 one that you sent to Dave Wise at Qualcomm, right?

24 A. So the top?

25 Q. Yes, that's right.

1 A. Yes, I sent that to Mr. Wise.

2 Q. Do you see the first paragraph of that January 16th
3 email refers to an NDA?

4 A. Yes, sir.

5 Q. Can you explain that?

6 A. Well, we were going to disclose our non-public patent
7 filings, our technology, what we thought it could do for
8 the market, what we thought it could do for Qualcomm and
9 Qualcomm's customers, and so there's a huge amount of
10 confidential information they were going to be disclosing,
11 and we needed an NDA to protect us in that disclosure.

12 Q. All right. Let's turn to Exhibit 10.

13 That one is labeled HW_00092190.

14 Do you see this is an email with the subject line
15 OS Stack Issue, that's dated February 12, 2009?

16 A. Yes, sir.

17 Q. And does this email discuss any technical information
18 that you provided to Qualcomm?

19 A. Yes, sir. By this time, we had made several
20 disclosures. They had looked at the patents -- patent, the
21 primary provisional, and we had discussed many, many
22 things. And they were beginning to ask about -- questions,
23 concerns about how to go about implementing the technology.

24 And one of their concerns was, okay, how do we get
25 this technology into the operating systems, Android and iOS

1 and so on and version control and things like that. So I
2 was explaining to them our view of how they could manage
3 that process.

4 Q. All right. Now, at the end of the first paragraph, you
5 say: They are all embodiments in the IP filings.

6 Do you see that?

7 A. Yes, sir.

8 Q. And what IP filings are you referring to?

9 A. Yeah, those would be the -- the '354 that they had had
10 a chance to review by this time and other patents that we
11 had been working on that we were telling them about. So
12 those would be the patent filings.

13 Q. Let's turn to Exhibit 11, which is labeled HW_00092193.

14 Do you see this is an email chain with the subject
15 line "Opportunity" from February 17th, 2009?

16 A. Yes, sir.

17 Q. So I see a reference to HP here. Can you just briefly
18 explain what that is?

19 A. Yes, sir. So Steve Manser was a senior executive at
20 Hewlett Packard, and in addition to disclosing to Qualcomm
21 under NDA, we were talking to others. And Steve Manser was
22 over HP's mobile device product line, so mobile phones,
23 tablets, some of the laptops, and other things. And he was
24 really excited, as was Qualcomm, about the approach and the
25 ideas. And he wanted to be one of the first customers, so

1 he asked us if we were working with Qualcomm, and I said
2 yes. And he knew Dr. Jacobs, so he contacted Dr. Jacobs
3 and said, you know, I'd like to be one of the first
4 customers.

5 Q. All right. So it seems like at this point in time your
6 discussions with Qualcomm are going well, but that sort of
7 changed at some point, right?

8 A. Yes, sir. They were going very well. They began
9 talking about an acquisition. They started floating
10 prices. They eventually came to a verbal float of about
11 \$80 million. And we felt like that would be -- given where
12 we were in the process and that, you know, there were only
13 a few people involved and how quickly we could inject the
14 technology into the market, it would have accelerated this
15 technology going into operating systems by years, that
16 would be fair. And it was going in a very good direction.
17 Paul was excited, the team was excited at Qualcomm, and we
18 were excited.

19 And then someone inside of the ranks at Qualcomm,
20 they never disclosed who, made some sort of vague
21 insinuation that, gee, it hasn't been very long since you
22 left, maybe you developed some of this at Qualcomm. And
23 that really started causing friction in the relationship.
24 You know, we got offended. You know, we ended up demanding
25 releases, and it really, really threw a wrench in the

1 works.

2 Q. Did you ever give Mr. Wise or anyone else at Qualcomm
3 any information that you believed showed that that
4 insinuation was incorrect and you conceived your inventions
5 after you left Qualcomm?

6 A. Yes, sir. I -- you know, as we're doing here, I talked
7 about how I developed it, where it came up. You know, I
8 discussed the Best Buy meeting. We showed them the
9 patents. We had many meetings where we brought hard copies
10 of the patents. They reviewed the patents. They
11 understood. They understood them to the point where they
12 were ready to do prior art searches and, you know, sign
13 releases and all sorts of things. And I felt like I had
14 proven, you know, conclusively that that could not have
15 been developed at Qualcomm. I knew it could not be
16 developed at Qualcomm. I told them as much. I knew
17 exactly when these concepts came to my mind.

18 Given that, it was impossible for there to be
19 anything at Qualcomm. And, you know, again, I was told by
20 people who wanted to get a deal done with us that they knew
21 that there was really nothing there within Qualcomm of
22 substance anyway to support the vague insinuations.

23 There was never any specific this is what you did,
24 this is when you did it, this is evidence. It was just
25 insinuations. We demanded, you know, show us what you

1 have. If you think you have something, let's see it. We
2 asked for meetings. Never got anything. So we felt we had
3 more than proven our end of it, and there was nothing on
4 the other end. There could not be. They didn't produce
5 anything. And it was quite frustrating.

6 Q. All right. So let's turn to Exhibit 12.

7 This one is label HW_00104077.

8 Do you see this is an email chain with the subject
9 line "ItsOn" that began on April 21st, 2009?

10 A. Yes, sir.

11 Q. And this is an email chain that relates to this
12 insinuation that had happened, right?

13 A. Part of it, yes.

14 Q. Okay. So let's take a look at the first email, all the
15 way at the bottom, from April 21st. That came from Gina
16 Lombardi at Qualcomm. Who was that?

17 A. Gina was a senior business development executive that
18 was assigned by Paul to work with David Wise and get a deal
19 done with us.

20 Q. And I saw this was directed to you and to Jim Straight.
21 Who is that?

22 A. Jim Straight was a recently -- at that time was a
23 recently retired senior Verizon executive who had worked at
24 many wireless carriers, and he was helping me build the
25 company -- build Headwater and ItsOn.

1 Q. And then Mr. Wise is also copied on the email?

2 A. Yes, sir.

3 Q. Okay. So in the list of bullets here in the early
4 email from Ms. Lombardi, the fourth bullet says:
5 Resolution of IP ownership issue.

6 Does that refer to the insinuation that we've been
7 talking about?

8 A. Yes, sir.

9 Q. So now, if we scroll up to the April 22nd email where
10 Mr. Straight responds to Ms. Lombardi, do you see the
11 second sentence of Mr. Straight's email begins with "as we
12 discussed." Do you see that?

13 A. Yes, sir.

14 Q. What does Mr. Straight say we discussed?

15 A. He says: We're positive we own all of this, and we
16 want to resolve this quickly, get it out of the way.

17 Q. I see. So Mr. Straight says, quote, as we discussed,
18 we are confident of our ownership of our intellectual
19 property?

20 Is that right?

21 A. Yes, we'd -- as I mentioned, we had already discussed
22 timelines, we showed them the patents, and, you know, we
23 had done all those things to prove our ownership verbally
24 and in these meetings. And he was saying, let's see what
25 you have, let's -- let's get this out of the way.

1 Q. I see. I see in Item 4 in Mr. Straight's list, it
2 says: Qualcomm and ItsOn/Headwater will have a
3 face-to-face meeting for Qualcomm to disclose to
4 ItsOn/Headwater the facts, documents, and any other
5 information that Qualcomm possesses that Qualcomm believes
6 support the Qualcomm claim that it may own some portion of
7 ItsOn's IP.

8 Do you see that?

9 A. Yes, sir.

10 Q. And did this meeting ever take place that Headwater
11 wanted to have?

12 A. No, sir, never did. We proved our side of it, and
13 there was nothing on the other side.

14 Q. And do you have any sense as to why the meeting did not
15 take place?

16 A. I do. First of all, I know for sure there could not
17 have been anything on the other side, so if the meeting had
18 occurred, there would have been nothing there. And
19 whatever leverage somebody inside of Qualcomm was trying to
20 gain would have been gone.

21 And second, I was told verbally that people who
22 are familiar with it, had looked at it and said we got
23 nothing.

24 Q. All right. Now, about -- I'm sorry, let's -- let's
25 turn to the next exhibit.

1 This will be Exhibit 13. It's labeled
2 HW103_00082307.

3 Do you see this is a May 7, 2009 email, with the
4 subject line: Moving Forward?

5 A. Yes, sir.

6 Q. And this email came from David Wise again, right?

7 A. Yes, sir.

8 Q. And about halfway through the paragraph, I see a
9 sentence that says: To resolve the IP concerns, we would
10 like to agree that Qualcomm get an additional 5 percent
11 ownership interest in ItsOn.

12 What was your reaction to that proposal?

13 A. Yeah, we said no.

14 Q. And why did you say no?

15 A. We said, you know, you have nothing of value. You have
16 this assertion. We would like to clear the air and get a
17 release so that we can work together without this noise,
18 but you don't get paid for that because there's -- there's
19 no inherent value in any kind of release or waiver from you
20 because we know you have nothing. And you don't get to use
21 this as leverage to get more from us than our other
22 investors have because that would be unfair. They wanted
23 to invest a certain amount of money on similar terms that
24 our other investors had, but then they wanted this extra
25 percentage.

1 It eventually got down to 2 or 3 percent, but that
2 still to us was unfair because it was paying something for
3 nothing of value.

4 Q. And let's turn to Exhibit 14, which is labeled
5 HW_00104071.

6 Do you see this is an email dated May 19, 2009,
7 with the subject line: Release?

8 A. Yes.

9 Q. Can you explain what this document is?

10 A. Yes. We had -- Qualcomm continued to want to invest.
11 We wanted to work with Qualcomm. Again, there was a large
12 team that was excited about working together. And then
13 there was this problematic unnamed somebody inside the
14 organization. So we said we can't have this person or
15 whoever it is keep raising these vague concerns and causing
16 friction, so we want you to sign a release. That's the
17 only way we can work together because we're not going to
18 have the prospect of having this come up again in the
19 future.

20 It had kind of died down by this time, and they
21 wanted to go forward, and we were saying, no, you have to
22 sign a release.

23 Q. And did Qualcomm agree to sign the release you sent?

24 A. No, this was a first draft. They agreed to sign a
25 different form. You know, we started off with an

1 extreme -- our lawyers put together this draft, which was
2 very, very broad. And, you know, probably rightly so,
3 Qualcomm said this would cover you for anything. You could
4 come back in a year and, you know, patent something that
5 was part of our core chipset line that you saw while you
6 were here. We needed to be specific to what you're doing
7 at Headwater. And we said, okay, let's see what you have.
8 And there was some back and forth. And eventually they
9 said here's a release we'll sign.

10 Q. And was there ever a signed release ultimately?

11 A. No. They continued to ask for this extra percentage.
12 And like I said, I think it came down to 2 or 3 percent
13 extra, and we said you don't get anything extra because
14 this does not have value to us other than clearing the air
15 so that we have confidence this isn't going to come up
16 again because it's very problematic. It causes -- you
17 know, obviously I was offended. You know, it's -- it's
18 going at our integrity, and if you don't trust us, you
19 shouldn't work with us anyway. We can't have these people
20 making these vague insinuations in the future.

21 So the release is in exchange for working with
22 you, not giving you more equity in our company.

23 Q. So now, after the negotiation ended with Qualcomm in
24 2009, did Qualcomm ever bring a claim asserting ownership
25 over any Headwater patents?

1 A. No, sir. At no time did they ever make any specific
2 insinuation whatsoever. It was just vague. And at no time
3 did they make any sort of claim.

4 Q. And do you know whether Qualcomm can bring a claim
5 against Headwater now asserting ownership of your patents?

6 A. They cannot, and they made that clear to us in
7 subsequent discussions.

8 Q. Let's talk about those subsequent discussions.

9 Were there any negotiations with Qualcomm after
10 things ended in 2009?

11 A. Yes, sir, several.

12 Q. Can you tell me about any substantive negotiations that
13 occurred?

14 A. Yes. There was a negotiation in 2017. They were
15 interested in purchasing the company and our patents.

16 Q. And was there any offer made by Qualcomm at that time
17 in 2017?

18 A. Yes. They spent, I believe, more than two months with
19 a very large team reviewing in great detail the patent
20 portfolio. By that time we had, you know, hundreds of
21 filings of patents. And they had a large team from their
22 intellectual property group called Qualcomm Technology and
23 Licensing. We had many, many meetings with them. They
24 reviewed everything in great detail. And then they made an
25 offer. They said they wanted to make the offer verbal

1 because they did not want to put it in writing if we were
2 not going to accept it.

3 They offered at first 25 million.

4 We said that was not nearly enough.

5 They said -- they floated the idea, well, what
6 if -- we can't say we could. What if we could go up to 75
7 million? That would be our limit.

8 And we said that wouldn't do it either.

9 And they said, okay.

10 And we broke off.

11 And during that conversation, before we entered
12 the discussion, we said we don't want any more nonsense
13 about, you know, vague insinuations of some sort of Greg
14 did something while he was at Qualcomm. And they assured
15 us that, A, we didn't take any action on, you know, those
16 insinuations; B, we consciously allowed the statute of
17 limitations to expire; C, we understand we have absolutely
18 no ability to make such a claim. You don't have to worry
19 about it anymore.

20 And under those conditions, we agreed to go
21 through the two or three-month diligence process I just
22 mentioned.

23 Q. All right. Now, after negotiations sort of concluded
24 in 2017, do you recall any later conversations with
25 Qualcomm?

1 A. There were many. There was one in particular where
2 they made another offer to acquire.

3 Q. All right. Let's turn to Exhibit -- I'm sorry,
4 Exhibit 15. That is labeled HW_00092648.

5 A. 15?

6 Q. That's right.

7 Do you see Exhibit 15, Dr. Raleigh?

8 A. Yes, sir.

9 Q. All right. And this appears to be an email chain from
10 April 2022 with the subject line: Letter of Interest.

11 If we focus on the bottom email in the chain, can
12 you explain what this shows?

13 A. Yes, sir. This is from Alex Rogers, the president at
14 that time of Qualcomm Technology and Licensing, their
15 licensing business. And he's saying basically here's an
16 offer in the form of a letter of interest.

17 Q. All right. And let's pull up Exhibit 16, which is
18 labeled HW_00092649.

19 What is this document?

20 A. This is that offer letter.

21 Q. And does Qualcomm include a specific monetary offer?

22 A. Yes, sir.

23 Q. And what was that?

24 A. It was 9 million. And I asked Alex, you know, why
25 would the number be so much smaller? We thought it might

1 go up. It actually went down.

2 And he explained that at the time they had made
3 the other verbal offer and done all the diligence, they had
4 an issue in the market with their licensing business that
5 they no longer had. And so even though the patents were
6 very valuable, they weren't all that valuable to Qualcomm
7 because they didn't need additional technology in their
8 portfolio by this time.

9 Q. All right. Now, focusing on the opening sentence and
10 Clause 1 under that, this offer letter says: It is the
11 desire of Qualcomm to acquire the Headwater patents.

12 Why was Qualcomm referring to these as the
13 Headwater patents?

14 A. Because they knew they belonged to us.

15 Q. Would you have reengaged in negotiations with Qualcomm
16 in 2017 or 2022 if there was still an insinuation about
17 patent ownership being made?

18 A. No, sir. I was given very strong assurances that that
19 wouldn't happen, and that would have been a fool's errand
20 for us to engage and have the same episode of the vague
21 insinuations come up again.

22 Q. So besides the initial discussions that Headwater had
23 with Qualcomm in 2009 where Mr. Wise told you about this
24 insinuation someone had made, has -- aside from that, has
25 Qualcomm ever suggested to you that Qualcomm may be the

1 owner of any Headwater patents or have an interest in
2 Headwater patents?

3 A. No. And -- not at all, and not even during the first
4 episode. They said that maybe I invented something there
5 that might give them some sort of ownership, and that's as
6 far as it ever got. There was never a "we believe we have
7 something" ever.

8 Q. And so even in 2009, it was still at this insinuation
9 level, it was not a claim of ownership over the patents?

10 A. None whatsoever.

11 Q. All right. Are you aware that Mr. Wise gave a
12 deposition on January 31st, 2025 in a Headwater case
13 against AT&T?

14 A. Yes, sir.

15 Q. Did you review his deposition transcript?

16 A. Yes, sir.

17 Q. Let's turn to Exhibit 21. This is titled "Transcript
18 of David Wise," and it's dated January 31st, 2025.

19 Is this the transcript you reviewed?

20 A. Yes, sir.

21 Q. Did Mr. Wise have any recollection about any
22 intellectual property ownership dispute between yourself
23 and Qualcomm?

24 A. No, sir.

25 Q. Did Mr. Wise have any recollection about any facts,

1 documents, or any other information that Qualcomm possessed
2 suggesting that it may own some portion of your IP?

3 A. No, sir.

4 Q. Did Mr. Wise have any recollection about any
5 discussions internally at Qualcomm as to when you first
6 invented the IP that was at issue?

7 A. No, sir.

8 Q. Did Mr. Wise continue to work at Qualcomm after your
9 negotiations in 2009 ended?

10 A. Yes, sir. I believe he was there for roughly 12 years
11 after that.

12 Q. About 12 years after 2009?

13 A. Yes, sir.

14 Q. Did Mr. Wise indicate in his deposition whether anyone
15 besides AT&T, such as Samsung, ever pursued discovery from
16 him?

17 A. No, sir. He specifically said no one else had.

18 Q. Now, you're aware that Qualcomm produced some documents
19 in response to subpoenas from Samsung, right?

20 A. Yes, sir.

21 Q. All right. Let's turn to Exhibit 24.

22 This is titled "Non-party Qualcomm Incorporated's
23 Objections and Responses to Defendants' Subpoena Duces
24 Tecum" in the earlier 422 case between Headwater and
25 Samsung, right?

1 A. Yes, sir.

2 Q. And if we turn to the last page, do you see that
3 Qualcomm's responses are dated January 23rd, 2024?

4 A. Yes, sir.

5 Q. So that's over a year ago, right?

6 A. Yes, sir.

7 Q. All right. Now, let's turn to Exhibit 25.

8 This is titled "Non-party Qualcomm Incorporated's
9 Objections and Responses to Defendants' Subpoena Duces
10 Tecum" in the 103 case that we're here about today between
11 Headwater and Samsung. Do you see that?

12 A. Yes, sir.

13 Q. Do you have an understanding of what Samsung asked
14 Qualcomm to produce under these subpoenas?

15 A. Yes, I believe so.

16 Q. And what is that?

17 A. All of my employment records, my -- my assignment
18 agreement. Anything and everything that I may have
19 invented at Qualcomm, anything and everything that may be
20 related to Headwater's patents that I did at Qualcomm. I'm
21 not -- I'm paraphrasing as a layperson, but extremely broad
22 requests for information of anything that would support
23 their assertions.

24 Q. And in response to the subpoenas, did Qualcomm produce
25 any documents showing work that you did while you were at

1 Qualcomm?

2 A. No, sir, none whatsoever.

3 Q. Did Qualcomm ever provide any deposition in response to
4 a Samsung subpoena?

5 A. No, sir, Samsung -- I don't believe anyway that Samsung
6 ever asked for Qualcomm to give depositions.

7 Q. All right. Let's turn to Exhibit 22. This is titled
8 "Invention Disclosure Confidentiality and Proprietary
9 Rights Agreement."

10 Do you see that?

11 A. Yes, sir.

12 Q. Do you understand this is a copy of the agreement that
13 Qualcomm produced in response to Samsung's subpoena?

14 A. Yes, I understand that Qualcomm says this is something
15 that I clicked through when -- during the onboarding
16 process when they purchased Airgo.

17 Q. Okay. And given your invention timeline, does this
18 agreement give Qualcomm rights to any Headwater patents?

19 A. No, sir, none whatsoever.

20 Q. And why do you say that?

21 A. Well, first of all, as I've testified, you know, we
22 showed Qualcomm what I invented, how it came about, when I
23 invented it. We were very open. We showed them all the
24 patents, and I think we proved our timeline conclusively.

25 And this requires that I would conceive of

1 something and reduce to -- you know, the legal definition
2 of conceive is, you know, for lawyers, but my understanding
3 was I'd have to conceive and reduce to practice while I was
4 at Qualcomm.

5 That's impossible to have happened. I know
6 exactly where the ah-ha moments occurred for these, and
7 I've explained that here in court.

8 It's impossible that I did that while I was at
9 Qualcomm. It just cannot have happened.

10 Q. All right. Now, let's take a look at Paragraph 1.4.
11 That reads: I agree that an invention disclosed by me to a
12 third person or described in a patent application filed by
13 me or on my behalf within one year following termination of
14 my employment with the company shall be presumed to be an
15 Invention subject to the terms of this Agreement unless
16 proved by me to have been conceived and first reduced to
17 practice by me following the termination of my employment
18 with the Company.

19 Do you see that?

20 A. Yes, sir.

21 Q. Do you understand this Paragraph 1.4 to give Qualcomm
22 any rights to any Headwater patents?

23 A. Yeah, no, sir, none whatsoever.

24 Q. And why is that?

25 A. Well, first of all, as I've testified maybe a couple of

1 times now, they had the evidence they need for me to prove
2 that I invented these things after I left Qualcomm. I
3 explained it to them just as I've explained it to the Court
4 here today and just as I explained in the 422 case. So I
5 think I met the burden under this provision.

6 Second, I've been involved in clauses like this,
7 both as an employee and as an employer, and I know that
8 this is completely unenforceable in California, as has been
9 advised to me by many attorneys. So -- but even if it was
10 enforceable, I met the burden.

11 Q. So let's turn to Exhibit 23.

12 What is the table that's shown here in Exhibit 23?

13 A. Yeah. Let's see.

14 Okay. This is -- this is basically a Qualcomm
15 employment record of my time at Qualcomm.

16 Q. And what does this employment record show was your job
17 title while you were at Qualcomm?

18 A. So a little past the middle of the table, it shows
19 that, you know, my official title within the Qualcomm
20 system during this time was VP of product management, which
21 is, as I mentioned, a business and strategy role,
22 marketing, business, and strategy.

23 Q. And did you invent anything while you were a VP for
24 product management at Qualcomm?

25 A. No, sir, that was not at all my job.

1 Q. All right. Now, you talked earlier about how different
2 presentations you prepared over time showed that you
3 conceived the inventions after you left Qualcomm. Do you
4 recall that?

5 A. Yes, sir.

6 Q. And so to help us wrap things up here, can you
7 summarize that for us visually?

8 A. Yes, sir.

9 Q. Okay. Do you have any slides prepared that illustrate
10 that?

11 A. Yes. I created a couple of -- I think three slides for
12 this.

13 Q. Okay. Let's take a look.

14 MR. KRIS DAVIS: I wonder if we can advance to the
15 first slide? Why don't we take a second to distribute
16 slides?

17 THE COURT: All right.

18 THE WITNESS: Thank you.

19 MR. KRIS DAVIS: All right. Thank you.

20 Q. (By Mr. Kris Davis) So we're looking now at the first
21 of your slides, Dr. Raleigh. Can you explain what this
22 slide shows?

23 A. Yes, this is a summary of my testimony. It shows when
24 I left Qualcomm on September 19th. It shows the calling
25 card presentation that Samsung asked me to discuss with

1 them during my depositions that was also discussed in the
2 422 hearing. And this was the presentation that really
3 just had a calling card. We were giving Best Buy what they
4 wanted to hear to see if we could work together.

5 And then on October 23rd, 2008, this is the slide
6 illustrating that I had had the ah-ha moment by this time
7 and saying, okay, I'm going to try to do something new
8 here. It's going to involve moving network functionality
9 onto the device. That was also something that Samsung
10 asked me to discuss in my depositions, and that was
11 discussed at the 422 hearing and again today. And that
12 slide did not have anything whatsoever to support
13 embodiments and limitations in our claims here today.

14 And then the January 20 -- January 6, 2009 slide
15 that did have embodiments, as we discussed today, that
16 would support the claim limitations in the cases at hand
17 here. And then the filing date of the '354 provisional on
18 January 28th, 2009. So this is the timeline that we've
19 discussed today.

20 Q. All right. And let's advance to the next slide.

21 What does this slide show?

22 A. Well, this is the specific figure we discussed today in
23 the January 6th, 2009 presentation that again was discussed
24 with Qualcomm's attorneys during my depositions. And as I
25 detailed, it has embodiments, the aggregated control

1 channel, the device messaging agent, the device messaging
2 server, the agent communication bus, also known as an
3 interprocess bus, and other terms in the specification.
4 And showing that, you know, I had now conceived of, you
5 know, several of the embodiments, not all of them at this
6 point, but several of them that are required for the patent
7 claims.

8 Q. And this is the January 6th, 2009 presentation?

9 A. Yes, sir.

10 Q. All right. And then going on to your final slide, what
11 does this show?

12 A. This is a one-to-one comparison of the January 6th
13 presentation, and then the more mature figure that's used
14 to describe many -- not all, but many of the embodiments
15 that are required to support the limitations in the claims
16 at stake in -- in this case.

17 And it just shows that, okay, I wasn't quite all
18 the way to Figure 16 in the patent, but I was getting there
19 as of January 6th.

20 Q. All right. And so this slide shows the side-by-side of
21 the January 6th, 2009 presentation and the patent figure
22 that dates back to January 28, 2009?

23 A. Correct. Figure 16 is right from the patent. And
24 this, again, is a somewhat more mature figure used to
25 support the descriptive embodiments -- some of the

1 descriptive embodiments required to support the claim
2 limitations that are at stake in this case. And you can
3 see that by January 6th, I was pretty close -- not all the
4 way there, but I was pretty close.

5 Q. All right. And final question for you. At any point
6 in time have you ever been shown any evidence that a
7 Headwater invention was conceived while you were working at
8 Qualcomm?

9 A. None whatsoever, sir.

10 Q. All right. Thank you, Dr. Raleigh.

11 MR. KRIS DAVIS: We'll pass the witness.

12 Oh, and I'm sorry, Your Honor, I just wanted to
13 move to admit some exhibits.

14 Plaintiff moves --

15 THE COURT: Is there any objection to the exhibits
16 that have been discussed with Dr. Raleigh?

17 MR. MCKEON: Your Honor, the transcript of
18 Mr. Wise, who is a former Qualcomm employee, that's a
19 transcript from a case that we're not involved in. We
20 didn't attend -- attend that deposition. And we didn't --

21 THE COURT: Do you object to it?

22 MR. MCKEON: Yeah, we object on that basis. It's
23 hearsay, but --

24 THE COURT: All right. I'll sustain the objection
25 to the Wise deposition transcript. I understand that you

1 may have some use for the witnesses, Dr. Raleigh's
2 impression of it, but the transcript itself is not
3 admissible against Samsung.

4 So I'll sustain that objection.

5 Otherwise, the exhibits are admitted.

6 MR. KRIS DAVIS: Thank you, Your Honor.

7 THE COURT: And we will take a 15-minute recess
8 before we come back for the cross.

9 COURT SECURITY OFFICER: All rise.

10 (Recess.)

11 COURT SECURITY OFFICER: All rise.

12 THE COURT: Good morning. Please be seated.

13 Mr. McKeon, go ahead.

14 MR. MCKEON: Thank you, Your Honor. And just --
15 we passed binders around, Your Honor, and I left one right
16 up there in front of you and also to your clerk.

17 THE COURT: All right. Thank you.

18 CROSS-EXAMINATION

19 BY MR. MCKEON:

20 Q. All right. Well, good morning, Dr. Raleigh. Nice to
21 see you again.

22 I left a binder right in front of you. I'm going
23 to be talking about some of those documents this morning,
24 okay?

25 Now, you understand that Headwater has filed five

1 different cases against Samsung asserting different patents
2 in each case; isn't that right?

3 A. That sounds about right, sir.

4 Q. And you also understand that Headwater's filed multiple
5 cases against cell phone carriers, including Verizon, AT&T,
6 and T-Mobile; isn't that right?

7 A. Yes, sir.

8 Q. And if I today -- if I call the first case that you
9 filed against Samsung Headwater 1 and this case that we're
10 here today about Headwater 2, will you understand what I'm
11 talking about?

12 A. I suppose.

13 Q. I want to keep it -- keep it simple. So Headwater 1
14 and Headwater 2. You got that?

15 A. Sure.

16 Q. Okay. And, of course, you were here last summer, as
17 you testified earlier today, to give testimony regarding
18 the standing issue for the Headwater 1 case; isn't that
19 right?

20 A. In your vernacular, yes.

21 Q. Okay. And I think you previously said something to the
22 effect that the Headwater technology is used in every -- in
23 every smartphone in the world. Do you recall saying
24 something to that effect?

25 A. I'm not sure what you're referring to there.

1 Q. Well, haven't you made the claim previously that your
2 technology would be used in every -- in every cell phone in
3 the world?

4 A. Can you refer me to a specific instance?

5 Q. Well, what we do know is that you previously said
6 that -- in Headwater 1 that the Headwater technology was
7 used in every smartphone that Samsung sold; isn't that
8 right?

9 A. You mean in the case?

10 Q. Yeah.

11 A. I'm just trying to understand --

12 Q. In the litigation --

13 A. -- what you're asking me about.

14 Q. In the Headwater 1 litigation, you asserted that the
15 Headwater technology you invented was used in the Samsung
16 phones in the Headwater 1 case; isn't that right?

17 A. That's true, yes.

18 Q. And you know that was millions and millions and
19 millions and millions of phones, correct?

20 A. It was a lot of phones, sir.

21 Q. And, of course, you testified in the jury trial that
22 was on January 1 in Headwater 1; isn't that right?

23 A. Yes, sir.

24 Q. And the Texas jury here disagreed that your Headwater
25 technology was used in the millions and millions and

1 millions of Samsung phones; isn't that right?

2 A. They found non-infringement, sir.

3 Q. Now, in terms of your work at Qualcomm, you joined in
4 December of 2006; isn't that right?

5 A. I'm not sure of the exact date. It sounds about right.
6 I joined when they bought my company Airgo Networks.

7 Q. Well, you've testified to that on direct. But let's
8 pull up Slide 2 that we have, which is your employment
9 record. And we've got the slide here that has an excerpt
10 of your employment record that you testified on direct.
11 You see that, sir?

12 A. Yes, sir.

13 Q. And it says here that you joined Qualcomm on December
14 19th, 2006; isn't that right?

15 A. Yes, sir. That looks correct, yes.

16 Q. Okay. And, of course, you didn't join Qualcomm as an
17 average entry level employee, you joined as a vice
18 president; isn't that right?

19 A. Yes, sir.

20 Q. And you testified earlier you were VP of product
21 management; isn't that right?

22 A. That was the title within the Qualcomm system, yes.

23 Q. Okay. But you, of course, know that you kind of were
24 already a big deal when you came to Qualcomm; isn't that
25 right?

1 A. Not sure what you mean by that, sir.

2 Q. Well, I think you claimed earlier in this courtroom
3 that you were one of the inventors of MIMO OFDM, which is
4 considered an important improvement in WiFi; isn't that
5 right?

6 A. Absolutely, yes, sir.

7 Q. And when you joined Qualcomm, you had already founded
8 two successful startup companies; isn't that right?

9 A. I would agree with that, yes.

10 Q. And one of them, you just mentioned earlier, was
11 Clarity Wireless; isn't that right?

12 A. Yes, sir.

13 Q. And you would think Clarity Wireless was a huge
14 success, true?

15 A. It was successful. It made great technology and had
16 great people, and it was acquired by Cisco, and, you know,
17 helped spur the MIMO revolution. So I would say it was
18 successful.

19 Q. Okay. And Cisco actually paid you \$157 million for
20 that one in 1998; isn't that right?

21 A. Roughly. I think it was more than that, but --

22 Q. Okay. 157, maybe it was up 200 million?

23 A. Roughly. Yeah, that's closer.

24 Q. Okay. And then, of course, you worked at Cisco after
25 you -- after they bought Clarity; isn't that right?

1 A. Yes, sir.

2 Q. Kind of a package deal; is that right?

3 A. I'm not sure what you mean by that.

4 Q. Well, what we do know is you only worked at Cisco for
5 about two years after Clarity was acquired; isn't that
6 right?

7 A. Yeah, I signed a contract to work for two years.

8 Q. Okay. And then you went on and founded Airgo, right?

9 A. Yes, sir.

10 Q. And then, of course, as you testified earlier, Qualcomm
11 acquired Airgo in 2006, right?

12 A. Yes, sir.

13 Q. And you also got a nice chunk of change when you sold
14 Airgo to Qualcomm; isn't that right?

15 A. I did okay.

16 Q. They paid you about \$200 million; isn't that right,
17 sir?

18 A. No, they did not pay me anywhere near that.

19 Q. Well, let's go to your deposition. We'll pull it up
20 here. It's the September transcript. 61, Lines 1 to 4.

21 How much did Qualcomm pay to buy Airgo?

22 I think the number was around 200 million again.

23 Did I read that correctly?

24 A. Yeah, but they didn't pay me that, sir.

25 Q. Well, that was what Qualcomm paid to acquire Airgo; is

1 that right?

2 A. That's what they paid the investors, the company.

3 Yeah, they purchased the corporation. I didn't make
4 anywhere near that.

5 Q. Well, you -- you made a lot of money from Qualcomm's
6 purchase of Airgo; is that right?

7 A. I did all right. I wouldn't say I made a lot of money.

8 Q. But what we do know is you became an employee of
9 Qualcomm after the acquisition; isn't that right?

10 A. Yes, sir.

11 Q. And then you quit Qualcomm in 2008, correct?

12 A. Yes, sir.

13 Q. So like -- like Cisco, after the acquisition of
14 Clarity, you also only worked at Qualcomm for about two
15 years; isn't that right?

16 A. Yes, sir.

17 Q. So by the time you founded Headwater, you had already
18 started two companies, and you sold them; isn't that right?

19 A. Well, the companies sold. I was one of the votes in
20 that process, yes.

21 Q. Okay. And, of course, the time you joined Qualcomm,
22 you actually already had a bunch of patents; isn't that
23 right?

24 A. I had quit a few, yes, sir.

25 Q. And I think we counted about 20 patents when you joined

1 Qualcomm.

2 A. It could be. It may have been more than that.

3 Q. Okay.

4 A. Yeah.

5 Q. And, of course, you -- I think you've testified today,
6 reflected your ability to be conversant in patents, you
7 know a lot about patents; isn't that right?

8 A. As an inventor. I know quite a bit as a layperson and
9 an inventor about patents.

10 Q. Okay. For example, you know the difference between
11 first to file versus first to invent, for example; is that
12 right?

13 A. Yes, sir.

14 Q. Now, you testified earlier that when you joined
15 Qualcomm, you entered into an invention disclosure
16 agreement with Qualcomm; isn't that right?

17 A. Yes, sir. As part of my onboarding process, there was
18 a whole bunch of click-through documents, and, you know,
19 apparently that was one of them.

20 Q. Well, I wrote it down. I think you said: Qualcomm
21 says I signed this. Now, you're not disputing the fact
22 that you signed this agreement, and it's a binding
23 agreement, are you?

24 A. I don't think so. What I said was specifically as I
25 clicked through it.

1 Q. Okay. Well, let's take a look at the agreement.

2 MR. MCKEON: It's -- if we can pull up Tab 2.

3 Q. (By Mr. McKeon) And it's in your tabs, but we're also
4 going to pull it up here, Dr. Raleigh?

5 A. I'm sorry, tabs in the binder?

6 Q. In your binder, yes. So it's in front of you, but
7 we're going to have it on the screen, as well.

8 And we see right here at the top it says:

9 Submission for Gregory Raleigh, employee. There's a
10 number. Recorded 12/18/2006.

11 This is your employment agreement with Qualcomm,
12 correct?

13 A. As I've testified previously, yes.

14 Q. And, of course, you understand having an agreement such
15 as this was really important to Qualcomm; isn't that right?

16 A. I think they require agreements of all their employees,
17 if that's what you're referring to.

18 Q. Yeah. And you understand the reason they want these
19 agreements is because they don't -- they don't want their
20 employees to work for themselves while they're at Qualcomm
21 or work for other companies doing other things while
22 they're at Qualcomm; isn't that right?

23 A. Yeah, I mean, as -- I worked with these kinds of
24 documents both as an employer and an employee, and
25 generally, this is to, you know, maintain the company's

1 rights for inventions for their employees and so on.

2 Q. Yeah. And it's important and you agree it would be
3 important to Qualcomm that they want their employees --
4 they don't want -- if they have ideas while they're at
5 Qualcomm related to Qualcomm's business, you know, they
6 want to be able to say, hey, you can't take that. That's
7 our -- that's our property. That's the idea behind the
8 agreements; isn't that right?

9 A. Part of the -- these agreements have many purposes. I
10 would roughly characterize part of it in the way you just
11 did.

12 Q. Okay. And it was really especially important for
13 high-level employees like you; isn't that right?

14 A. I would say it's important for anyone that is inventing
15 and working in their technology. It wouldn't necessarily
16 have to be a high-level person. It could be, you know,
17 first-line engineer that comes with great ideas.

18 Q. Okay. Well, I mean, for you -- I mean, by the time you
19 joined Qualcomm, you already had a record of -- you were
20 known for filing patents, you were known for founding
21 companies, and you were known for changing jobs. That was
22 sort of the situation when you started at Qualcomm; isn't
23 that right?

24 A. I mean, I had done some of those things, sure.

25 Q. Yeah. So at the time that you joined Qualcomm, you

1 agree that it was reasonable for Qualcomm to make you sign
2 this; isn't that right, sir?

3 A. It's customary for companies to have every employee
4 sign these no matter who they are, what level they're at.

5 Q. And I heard you -- I thought I heard you say something
6 in your testimony on direct that you think there's a
7 provision in here that it's illegal under California law.
8 Did I hear that correctly?

9 A. Yeah, my understanding is Section 1.4 under the
10 California law is not enforceable.

11 Q. Is that, sir -- are you -- you know, I know you're a
12 great prolific inventor, but are you trying to convince the
13 Court that you're a lawyer, too, and you can -- you can --
14 you know what California law requires? Is that what you're
15 saying to the Court?

16 A. Well, as an employer, I was recommended to remove that
17 kind of clause from agreements that we had by our counsel
18 because it could cause problems with the enforceability of
19 the rest of the document. And in California, you can't try
20 to make somebody prove something -- prove a negative.
21 It just -- my understanding is as a layperson from the
22 attorneys who advised me as an employer, you don't want --
23 you generally don't want that kind of clause.

24 Q. Well, let's look at the provisions more closely.

25 MR. MCKEON: If we can have Provision 1.1(a).

1 Q. (By Mr. McKeon) And you see that here, sir, on the
2 slide. There's no dispute in this case that inventions is
3 defined as all inventions, discoveries, developments,
4 formulae, processes, improvements, ideas, and innovations,
5 whether patentable or not.

6 Do you see that?

7 A. I see the words, sir.

8 Q. And this, of course, would include ideas, whether all
9 or part of the patent claim; isn't that right?

10 A. What was that question?

11 Q. Well, by definition, this -- this -- the definition of
12 inventions here includes ideas. You see that?

13 A. I see the words, sir.

14 Q. And it would include ideas whether they're -- whether
15 it was all or part of a patent claim; isn't that right?

16 A. I see the words. I don't know that I can comment on
17 what you just said.

18 Q. Well, you agree that a specific -- the contract
19 itself -- let me make sure that you understand the contract
20 because you testified earlier that you didn't violate the
21 contract, so I want to make sure that you understand what
22 the contract says, okay?

23 It says: Ideas, whether patentable or not.

24 You agree that's what it says?

25 A. I see the words, sir.

1 Q. So you agree that it could be an idea, whether it's
2 part of a patent claim or not part of a patent claim; isn't
3 that right?

4 A. I am -- first of all, I'm not an attorney. I have my
5 understanding of what this agreement says. And I just am
6 not qualified to start parsing words with you.

7 Q. Okay. Well, what we do know is that the Section 1.1
8 makes it clear that it covers ideas conceived by you,
9 either solely or in concert with others; isn't that right?

10 A. That's what it says, sir.

11 Q. Okay. And, of course, it requires you to disclose all
12 inventions and works using forms provided by the company.
13 Do you see that?

14 A. Where did -- I can read that with you. Where does it
15 say that?

16 Q. I think we've got it highlighted at the bottom:
17 Disclose all inventions and works using forms provided by
18 the company.

19 Do you see that?

20 A. Yes, sir.

21 Q. Now, you understand that the agreement also contains,
22 and I think you just mentioned it, a provision that
23 specifically deals with the situation where an invention is
24 disclosed or filed by you after you leave Qualcomm; isn't
25 that right?

1 A. Are you referring to 1.4?

2 Q. That's 1.4.

3 A. We discussed 1.4. I'm not sure what the question is.

4 Q. Well, you don't dispute the -- what the -- 1.4 requires
5 of you, do you?

6 A. I testified to what I think it says, and I've testified
7 to whether or not I believe it's enforceable, and I've
8 testified to the fact that I believe I satisfied it.

9 Q. Okay. And -- but what's clear under the contract,
10 Qualcomm owns patents filed by you within one year after
11 leaving Qualcomm, unless proved by you they've been
12 conceived or reduced to practice by you following
13 termination of your employment at Qualcomm. Isn't that
14 what it requires, sir?

15 A. Generally, I understand what this requires.

16 Q. Do you agree with the way I just stated the requirement
17 of this clause?

18 A. There's a -- I don't know that I'd say it quite the way
19 you did. There's a presumption involved. There's various
20 words. And, again, I'm not a lawyer, but what I do know is
21 that should this be enforceable, I -- if it were
22 enforceable, I would need to just show that I came up with
23 the patented idea after I left, which is what I did.

24 Q. Well, you understand the idea behind this is that if
25 you leave Qualcomm and the very next day you file a patent

1 application on ideas related to Qualcomm's business,
2 something -- something would be very fishy with that? You
3 understand that?

4 A. I wouldn't agree with that at all. It depends on what
5 kind of patent it was. It depends on what the
6 circumstances were. I mean, ideas come -- they come when
7 they come.

8 Q. Okay. So it's your testimony, sir, that you have an
9 idea related to Qualcomm's business, and you leave the
10 company, and the very next day you file a patent
11 application on stuff that Qualcomm does, it's their
12 business, you -- you -- is it your testimony that that's
13 not fishy?

14 A. First of all, that's not what happened here.

15 Second, I'm saying that it would depend on the
16 circumstances. If you -- the next day you went and learned
17 something brand new and you came up with an idea and it was
18 a super simple patent, like a one-paragraph patent, it's
19 possible.

20 This is a hypothetical. I don't think it has
21 anything whatsoever to do with this case. It's not what
22 happened here. And, you know, the notion that timing is
23 somehow indicative of where something was invented, I would
24 strongly disagree with.

25 Q. But you agree, sir, that you control the information

1 here. And the reason why this clause is important to
2 Qualcomm is because you're the one that's controlling the
3 information with respect to the work you do on inventions;
4 isn't that fair?

5 A. I have no idea what you're referring to there.

6 Q. Well, one thing we do know, sir, is that you ended your
7 employment on September 19th, 2008; isn't that right?

8 A. September 19, 2008, yes, sir.

9 Q. But even before you left Qualcomm as its employee, you
10 were already starting your next gig; isn't that right?

11 A. I was exploring a variety of things, as one does when
12 they're leaving a company. Often you would interview --
13 you would -- you know, I don't think I've ever left a
14 company without having some options.

15 Q. Well --

16 A. Generally you wouldn't leave unless you had some
17 options.

18 Q. Well, you actually incorporated ItsOn on September
19 17th, 2008; isn't that right?

20 A. Yes, sir.

21 Q. And, of course, you left on the 19th. So you
22 incorporated the company before you left Qualcomm?

23 A. Yes, sir. I needed -- I didn't know how long it was
24 going to take, and I needed a -- if you will, a calling
25 card, a place to do business so that I wasn't an individual

1 which poses certain risks. So I needed some sort of
2 corporate umbrella to have the meetings with Best Buy. So
3 I asked my lawyers to set up two shells. One was
4 Headwater, and one was ItsOn, as is my practice and has
5 always been my practice. I have several LLCs now that I
6 haven't even used yet, so this is not uncommon.

7 Q. Thanks, Dr. Raleigh. And, Dr. Raleigh, I appreciate
8 your testimony, and we're trying to get to the bottom of
9 all this, but I only have a limited amount of time. So if
10 you'd just try to stick to my question, and your very
11 capable lawyer will get up and do what he wants to after
12 that, okay? Let's try to do that together, okay?

13 All right. So you also incorporated Headwater on
14 September 17th before you left Qualcomm, correct?

15 A. Yes, as I just testified, I needed a shell to do
16 business with to talk to Best Buy, and this is my common
17 practice. I've done it many times in the past. It's not
18 unusual for me at all.

19 Q. You got the names, you got the business idea, you got
20 your business model all before you left Qualcomm; isn't
21 that right, sir?

22 A. No, sir, that's -- I would not agree with that at all.

23 Q. Well, you certainly -- you -- you settled on the names
24 of the companies before you left; isn't that right?

25 A. Yes, sir.

1 Q. And, in fact, you had a meeting with Best Buy on
2 September 9th to 11th in 2008 before you left Qualcomm;
3 isn't that right?

4 A. I had many meetings with Best Buy over the time at
5 Qualcomm.

6 Q. Right. But this meeting with Qualcomm was not on
7 behalf of Qualcomm. It was on behalf of Dr. Raleigh, you;
8 isn't that right?

9 A. I'm not sure. I'm not sure what you're referring to.
10 I don't think so. I might have talked to them about the
11 fact that I was going to leave Qualcomm.

12 Q. Well --

13 A. And, in fact, I testified to that. I'm not even sure
14 what meeting you're referring to.

15 Q. Let's look at your deposition -- the 9/25/24 Deposition
16 Transcript 92, 12 to 18.

17 Question: If a "Next Step" is a meeting to happen
18 between September 9 through 11, then there's no question
19 that this presentation was created before September 9th;
20 isn't that right?

21 Answer: I would assume so, yeah.

22 Question: And this refers to the year 2008,
23 right?

24 Answer: Yes.

25 Did I read that correctly, sir?

1 A. I'm not sure what the context is here. What -- is this
2 the Best Buy presentation that they sent to me saying this
3 is what we want you to do?

4 Q. Sir, is that your testimony?

5 A. What testimony?

6 Q. Did I read that correctly?

7 A. You're reading these words correctly. I just don't
8 know the context.

9 Q. And if we go to Tab 12 and we pull up the Page 7 of
10 this presentation, it refers to September 9 through 11. Do
11 you see that, sir? This is a Best Buy meeting --

12 A. Oh, yeah, yeah. I think this is actually a typo of
13 some kind. I'm not sure.

14 Q. This is -- this is --

15 A. I think there was a typo involved in the dates or
16 something here. I'm not -- I don't really recall, but I
17 remember that there was -- I can take my time and try to
18 read through this if you would like.

19 Q. Well, sir, I've got to move us along.

20 But this is a -- this is a document that you
21 testified about, and it indicates that there was a meeting
22 September 9 through 11; isn't that right?

23 A. I'm not sure. I'm telling you that I'm not sure of the
24 context. You just showed me a snippet from my deposition,
25 and I'm not sure what the entire context is.

1 Q. Well, what we do know is the September 24 to 25th
2 meeting, you testified about that on your direct; isn't
3 that right, sir?

4 A. Yes, sir.

5 Q. And what we do know is that in this document, you
6 indicated a meeting on 9 to 11 and you indicated a meeting
7 on 24 to 25; isn't that right?

8 A. Yes, sir.

9 Q. And you know for a fact that the 24 to 25 meeting
10 occurred; isn't that right?

11 A. Yes, sir.

12 Q. But you just think maybe the 9 to 11, this presentation
13 that you gave to Best Buy, that's a typo, is that what you
14 said -- your testimony?

15 A. No, I'm sorry, that's -- what I said is that you're
16 showing me snippets of my deposition. I'm not sure of the
17 context. I know that there was one presentation in the
18 deposition that had information or an email from Best Buy
19 that had the incorrect dates.

20 This looks to me like it has something to do with
21 what Best Buy had sent to me and asked me to present at the
22 24-25 meeting. There was a presentation that they gave
23 that was almost identical to the presentation that I
24 sent -- gave back to them at that meeting. So I'm not sure
25 of the full context here.

1 Q. But we are sure, sir, that you were dealing with Best
2 Buy before you left Qualcomm. You were having
3 conversations with them, you were dealing with them, and
4 you weren't dealing with them about Qualcomm stuff, you
5 were dealing with them about Dr. Raleigh's stuff, correct?

6 A. As I testified specifically, they were interested in
7 having me come work with them to build this MVNO. I had
8 communicated that to Paul Jacobs. That drove my timing to
9 leave. It was entirely appropriate for me to think about
10 what I would do after I left Qualcomm.

11 I think at some point, Best Buy even sent me a
12 presentation saying this is what we would like you to say
13 when you come to meet with us. So I've testified to all of
14 those things, and I don't think there's anything
15 inappropriate with that at all.

16 If this 9 through 11 thing that they have here
17 refers to preparation for that meeting, I -- you know, I've
18 testified to that, and I even told Paul about it --
19 Dr. Jacobs about it. And that drove my timing. That drove
20 my timing. But there's nothing inventive going on there
21 whatsoever, sir.

22 Q. Well, what we do know, sir, is this, that the concern
23 that Qualcomm has, as reflected in its employment agreement
24 that you signed, about employees doing -- employees doing
25 stuff while they're working at Qualcomm on behalf of

1 themselves, it's kind of like on steroids with you, right,
2 because you're all this stuff while you're still at
3 Qualcomm; isn't that right?

4 A. Not at all, sir.

5 Q. Well, what we do know is that you filed a provisional
6 patent application on January 28th, 2008; isn't that
7 right -- 2009?

8 A. Yes, sir. Yes, sir.

9 Q. Okay. And you testified about that provisional on your
10 direct testimony, didn't you?

11 A. Yes, sir.

12 Q. And I got it in my hand here. This is it. It was
13 filed January 2009, and it contains, oh, about 70 figures
14 and almost 300 pages of stuff. Do you -- do you agree with
15 that?

16 A. It sounds about right, sir.

17 Q. Okay. And you left Qualcomm on September 9th, 2008,
18 and you filed this thing, the '354 provisional, four months
19 later -- almost four months and nine days on January 28th,
20 2009; isn't that right?

21 A. Absolutely, sir.

22 Q. And Headwater asserts in this case that this
23 provisional -- this provisional supports the three patents
24 that are at issue in this case; isn't that right?

25 A. Yes, sir.

1 Q. In fact, you -- you were preparing a provisional
2 application that led to the patents in this case such that
3 you were ready to file by the end of October, almost one
4 month after you left Qualcomm; isn't that right?

5 A. No, sir. I thought I could file something by then, but
6 I -- it just was taking way too long. So I had very little
7 by that time.

8 Q. Well, let's take a look at that.

9 MR. MCKEON: Let's look at Tab -- we'll pull it
10 up, Tab 18.

11 Q. (By Mr. McKeon) This is your October 23rd, 2008
12 presentation that you gave to Best Buy, correct?

13 A. Yes, sir.

14 Q. And that's about one month after you left Qualcomm;
15 isn't that right?

16 A. Yes, sir.

17 MR. MCKEON: And if we turn to the Bates page
18 ending in 566?

19 Q. (By Mr. McKeon) At the top here, it says: Patents in
20 progress (sic) -- should file first in the next week or so.

21 Isn't that right?

22 A. Yes, sir. I thought I could file some very simple
23 provisionals to start documenting where I was. And I just
24 wasn't able to do that because I wasn't far -- far enough
25 along. It didn't make sense. There just wasn't enough

1 embodiments. There wasn't enough meat on the bone. The
2 lawyers looked at this and said this really isn't going to
3 do us much good, so we didn't file anything.

4 Q. Okay. But so you're telling Qualcomm -- strike that.

5 You're telling Best Buy in this October 23rd
6 meeting, in a week or so which is the end of October, I'm
7 going to start filing patent applications on my great
8 ideas. That's what you're telling Best Buy in this
9 meeting; isn't that right?

10 A. Yes. So what actually happened is we started doing
11 prior art searches, we started looking at what I had by
12 that time. There was actually a considerable amount of
13 prior art. You know, it has been my practice on certain
14 things in the past to file very small snippets in
15 provisionals just to document -- as a way of essentially
16 trying to claim back priority dates.

17 But when we actually looked at what I had, it just
18 wasn't anywhere near enough to overcome prior art and to
19 have something that we could make viable claims from. And
20 so the lawyers said, look, we can do this if you want, but
21 there really isn't much meat on the bone. And, you know,
22 we just don't think you should be doing that.

23 So I didn't end up doing that. It took many
24 months from that point to have that prospective you're
25 looking at there. So that's what happened.

1 Q. Well, the October 23 meeting, let's be clear on this,
2 that you had with Best Buy, on the flight home from that
3 meeting -- strike that.

4 Your September meeting that you had with Best Buy,
5 on the flight home from that is when you had that ah-ha
6 moment that led to the Headwater 1 inventions; isn't that
7 right?

8 A. Yes, I referred to that as an inkling, and nothing
9 patentable, but, hey, wait a minute, if I can move some of
10 these deep packet inspection-type, you know, functions that
11 are in the network and I can put that on a device, that
12 could be very valuable. So it was an inkling that
13 eventually led me in the direction of these patents. And I
14 indeed had that on the flight home from Best Buy, yes, sir.

15 Q. All right. We're going to get into more on that later.
16 But what you'll agree with me is that September -- strike
17 that.

18 January 28th, 2009, when you filed this, you
19 certainly agree that that is within one year of the time
20 that you left Qualcomm; isn't that right?

21 A. Of course, sir.

22 Q. And that invokes this Provision 1.4 of the Qualcomm
23 agreement; isn't that right?

24 A. Should their provision be enforceable, yes. And as I
25 testified, I think I met more than my burden there in this

1 court today and the 422 and at the time with Qualcomm.

2 Q. Thank you, sir. And we'll get -- we'll get to that.

3 Now, focusing on the patents in this case, you
4 talked about them on direct. The problem was a world where
5 applications connected to their own servers which created
6 an unwieldy amount of network chatter, correct?

7 A. I'm sorry, I missed the first part of that. What are
8 you referring to?

9 Q. So I want to reset your mind, and we're talking about
10 the patented technology in this case. Are you with me?

11 A. Okay. But you mentioned something that I said. I'm
12 not sure when you're saying I said that.

13 Q. I'm just asking you a question, sir.

14 A. Oh, okay. Sorry.

15 Q. Okay. With respect to the patents in this case, the
16 problem was a world where applications connected to their
17 own servers which created an unwieldy amount of network
18 chatter, correct?

19 A. That's -- yeah, generally that's a true statement. And
20 that's similar to what I said earlier, yes.

21 Q. And in your view, the Headwater 2 patents solved
22 critical problems that literally brought down the Cingular
23 network when the first iPhones and Androids were attached
24 to the network, correct?

25 A. What I would say is that there were several factors

1 that contributed to that, but applications attempting to
2 connect to their servers created a very large network
3 demand, and that contributed to the Cingular network going
4 down, yes.

5 MR. MCKEON: And let's go to Slide 17 if we can.

6 Q. (By Mr. McKeon) This is your testimony, sir, from your
7 September 10th, '24 deposition at 46, 3 to 9. And I'll
8 read: These patents solved the critical -- solved critical
9 problems that literally brought down the Cingular network
10 when the first iPhones and Androids were attached to the
11 network.

12 Did I read that correctly?

13 A. Yeah, I think this was -- which deposition was this?

14 Q. Sir, it was the deposition in this case.

15 A. In this case? Okay. I've had 50 hours of depositions,
16 so they kind of blur together.

17 Q. Did I read, sir -- my question is: Did I read that
18 correctly?

19 A. Yes.

20 Q. And you know that the first iPhone -- the first iPhones
21 were rolled out in 2007; isn't that right?

22 A. I think -- I'm not sure. 2008 is when they started
23 becoming popular, but 2007 sounds about right.

24 Q. So 2007, 2008 -- became popular in 2008. What we know
25 is that your patents in this case solved that critical

1 problem that literally brought down the Cingular network
2 when that iPhone came out; isn't that right?

3 A. I'm sorry. These patents were filed -- the particular
4 claims here were filed quite a bit later, so I'm not sure
5 what you're asking me.

6 Q. Well, what we do know is that you'll agree that when
7 the iPhone was introduced and brought down the Cingular
8 network, that was a -- that was a disaster, wasn't it?

9 A. It was -- yeah, it was -- there were a lot of consumer
10 complaints. There were widespread news reports. There
11 were, you know, very big problems with the Cingular network
12 because it was essentially an iPad with a modem, and all of
13 those applications were communicating with their servers
14 whenever they wanted, and that definitely caused huge
15 problems for the Cingular network, yes.

16 Q. And it was a disaster, right?

17 A. It was very problematic, yeah. The network literally
18 became unusable at some point.

19 Q. And the patents at issue here addressed this disaster
20 on the network with a single communication channel between
21 each device and the network, correct?

22 A. These patents definitely have a huge impact in solving
23 that problem, yes, sir.

24 Q. And that is with a single communication channel,
25 correct?

1 A. That's part of the limitations involved, but nowhere
2 near all of the limitations involved, just one of them.

3 Q. Okay. And with your invention here, you now -- you
4 have now one channel that can manage -- you can manage
5 rather than all these point-to-point connections, correct?

6 A. It's a generally true statement, but, again, there's
7 many other limitations involved in the patents.

8 Q. And you believe that one way to describe the inventive
9 concept of your invention is aggregated messaging, correct?

10 A. Again, that's -- you know, addresses some of the
11 limitations, not all of the limitations. But that was
12 my -- as I started thinking about this problem, it was my
13 first step to realize that I can't have these many-to-many
14 connections, and the first step was to aggregate.

15 Q. Okay. And but that's -- one way to describe your
16 invention is to -- is aggregated messaging, correct?

17 A. I would say -- I would say that that's one way to
18 describe, you know, a subset of the limitations because
19 that in and of itself would not be patentable given the
20 prior art that existed at the time.

21 Q. Okay. Let's go to your deposition.

22 MR. MCKEON: Slide 20, please.

23 Q. (By Mr. McKeon) This is the 9/10/24 deposition at 68,
24 1 to 9.

25 Question: And what you just described at length

1 we can call it in shorthand aggregated messaging?

2 Answer: You know, I'm -- as you can tell, I'm
3 very hesitant to use small words to define even invented
4 concepts. I would call it -- I don't know what I would
5 call it. I just describe what it is. I threw out the term
6 "aggregated messaging." That's one way to describe it.
7 There are many ways to describe it.

8 Did I read that correctly?

9 A. It's very consistent with what I just testified. It
10 addresses a subset of the limitations. And you can tell I
11 am very careful about describing claims and patents. We go
12 through the claims very painstakingly. And, you know, I'd
13 really have to think about how to recharacterize things.
14 It is -- aggregated messaging addresses a portion of the
15 limitations in these claims.

16 Q. And you believe the devices could benefit from an
17 aggregated messaging channel such as the patented
18 inventions here, right?

19 A. Yes. But, again, I'll say it one more time. That's
20 just a subset of the limitations involved. And that in and
21 of itself would not have been patentable in those days.
22 There was a lot of prior art on aggregated messaging of
23 various kinds.

24 Q. Now, let's focus on conception, which is actually an
25 important issue in this case -- in this hearing.

1 On direct, you said you conceived the Headwater 2
2 ideas after you had the Headwater 1 device-side idea,
3 correct?

4 A. I'm sorry, could you repeat that?

5 Q. You conceived the Headwater 2 ideas in this case, the
6 inventions in this case, after you had the Headwater 1
7 device-side idea?

8 A. Yes. I'm sorry, it's a little confusing. We had an
9 entity called Headwater Partners II, so I understand now
10 what you're asking. So that's exactly what I testified to,
11 yes.

12 Q. Okay. I just want to make sure we're all clear on
13 that.

14 And you believe that you conceived the invention
15 in this case after you tried to reduce the Headwater 1
16 device-side solution to practice, correct?

17 A. Yes, sir.

18 Q. And you actually conceived the Headwater 2 invention
19 before you conceived the Headwater 1 invention, correct?

20 A. No, that's not what I said. I'm not sure where
21 you're -- where you're getting that.

22 Q. Well, what we do know is you claimed priority date in
23 this case January 28th, 2009; isn't that right?

24 A. Okay. Wait a minute. You're -- so this -- again, this
25 terminology is confusing me. When you say Headwater 1,

1 that's the previous 422 case?

2 Q. Let's be clear here because I don't want any confusion
3 here.

4 You were here last summer and provided testimony
5 to the Court in Headwater 1.

6 A. Yeah.

7 Q. We just had a jury trial in January in Headwater 1.

8 A. Yes. Okay. Well, you're calling it Headwater 1. I
9 call it 422. So that's why I'm getting confused.

10 So the 422 case, those patents were fully
11 conceived in, like, roughly 2010. So in the previous
12 hearing, we talked about the ah-ha moment, not the actual
13 conception of all the limitations required in that case.

14 And here what I've testified to is the first ah-ha
15 moment that eventually led to other discoveries in 2010
16 that resulted in the 422 case patent claims, and it was
17 after that ah-ha moment, not -- obviously not the patent
18 claims that came in 2010. So your terminology is confusing
19 me. But that -- that's the reality.

20 Q. I want to be very clear about this because a very
21 important part of this case is the timeline.

22 So you agree with me that you actually conceived
23 of the inventions -- Headwater inventions at issue in this
24 case, you conceived them before you conceived the Headwater
25 inventions in the 422 case, correct?

1 A. Yes.

2 Q. And you claim a priority date for the inventions in
3 this case of January 28, 2009, based on the '354
4 provisional, correct?

5 A. Yes, sir.

6 Q. And you claim a priority date in the Headwater 1 case,
7 the 422 case, of May 25th, 2010, correct?

8 A. Yes, sir.

9 Q. And, of course, in the Headwater 1 case, that 422 case,
10 you have other inventors, you have Dr. Raissinia, for
11 example; isn't that right?

12 A. Yes, and Mr. Lavine.

13 Q. And Dr. Raissinia, you recall, he left Qualcomm
14 November 30th of 2009; isn't that right?

15 A. I'm not sure of the date, sir.

16 Q. Okay. Let's pull up his -- Tab 26. And this is --
17 this is an employment record for Dr. Raissinia. It's in
18 the record. You see here he was terminated November 30th,
19 2009. Do you see that?

20 A. Yes, sir.

21 Q. So he -- when he left, then after that date, there was
22 work done, and you came up with the Headwater 1 or the 422
23 inventions; isn't that right?

24 A. The 422 inventions, yes, sir.

25 Q. And just to put a pin in it, you actually conceived the

1 Headwater 2 inventions in this case by January 2009 before
2 the conception of the Headwater 1 inventions, correct?

3 A. Yes. But what -- what hinged on some of the
4 discussion -- some of the discussion in the 422 hearing
5 that we had hinged on the ah-ha moment. And then the -- we
6 never got all the way out to 2010. We just focused on the
7 ah-ha moment in the October 23rd presentation.

8 Q. But what we do know is you had -- you testified on
9 direct about these panel remarks that you gave in a
10 presentation. Do you recall that?

11 A. Generally, yes.

12 Q. Yeah. Well, let's -- let's pull up the actual remarks
13 so we can take a look at that and see how they apply
14 specifically to this Headwater 2 case, okay?

15 A. Sure.

16 Q. And we've duplicated the remarks here. And in this --
17 in the remarks you gave, you said: The next step is
18 operating systems technology to manage the way applications
19 connect on these new smartphones, because without that,
20 there's going to be a disaster on the network.

21 Isn't that right?

22 A. That's what I said, yes, sir.

23 Q. And this disaster on the network, that kind of mirrors
24 the same sentiment when the iPhone brought down the
25 Cingular network; isn't that right?

1 A. I'm not sure what you're asking me there.

2 Q. Well, I think we've agreed earlier that when the iPhone
3 brought down the Cingular network, that was a disaster on
4 the network, wasn't it?

5 A. Yes, I testified to that. It caused a lot of problems.

6 Q. And the same sentiment is -- you're talking about here
7 is with these applications connecting, that was a disaster
8 on the network, is what you said in your panel remarks,
9 correct?

10 A. Yes, sir. Again, in my passing comment, yes.

11 Q. And you testified that the -- the patents at issue in
12 this case solved critical problems that literally brought
13 down the Cingular network when the first iPhones and
14 Androids were attached to the network; isn't that right?

15 A. I'm sorry, my screen has gone blank.

16 Q. Okay. I'm just asking you about -- about your
17 testimony in this case.

18 A. Oh, okay.

19 Q. We just went through this, but I want to make sure
20 we're clear.

21 The patents in this case solved the critical
22 problems that literally brought down the Cingular network
23 when the first iPhones were attached, correct?

24 A. As I mentioned, these were instrumental and this
25 technology was instrumental in helping to reduce that

1 network load that caused all those problems.

2 Q. And those problems, sir -- those problems didn't just
3 exist after your ah-ha moment that you had in the
4 Headwater 1 patents, those problems existed in 2007, going
5 into 2008, when the iPhone brought down the Cingular
6 network; isn't that right, sir?

7 A. I don't remember exactly when that Cingular network
8 problem occurred, but it was evident to me, as I was
9 writing this patent, the '354 provisional, that these were
10 going to be big problems.

11 Q. And Headwater's technical expert, Mr. de la Iglesia,
12 agrees that network problems occurred in January 2008 after
13 the iPhone was launched in 2007; isn't that right?

14 A. I haven't read his report, sir.

15 MR. MCKEON: Well, let's pull up Tab 30.

16 Q. (By Mr. McKeon) And this is an excerpt from
17 Mr. de la Iglesia's report.

18 And you see here, he talks about widespread
19 connectivity problems widely reported in the news media
20 across 2008 and 2009. Do you see that?

21 A. Yes, sir.

22 Q. And he has two cites: The Untold Story: How the iPhone
23 Blew Up the Wireless Industry. And cite -- and then:
24 Customers Angered As iPhones Overload AT&T.

25 Do you see that?

1 A. Yes, sir.

2 Q. And he talks about the iPhone here and mentions that
3 specifically. Do you see that?

4 A. Yes, sir.

5 Q. Okay. And so you agree that the time frame here is
6 2007 going to 2008 with respect to the Cingular network;
7 isn't that right?

8 A. Yeah. As I mentioned, I was aware that these were
9 going to -- the type of problems we're talking about, many
10 applications talking to many servers and other things, as
11 well, were going to cause these problems with the network,
12 yes, sir.

13 Q. And you agree that everyone in the wireless industry,
14 including Qualcomm, was affected by those network problems,
15 correct?

16 A. Not sure what you mean by that. I don't know that it
17 directly affected Qualcomm's business. It was -- those
18 problems were affecting consumers and carriers primarily.

19 MR. MCKEON: Let's go to your deposition testimony
20 again. This is 9/10/24 depo, 121:10 to 17.

21 Question: Was Qualcomm affected by the problems
22 that you've identified of apps congesting the network?

23 Answer: I don't know what you mean by that.

24 You continue: Everybody in wireless, users,
25 carriers, everybody was affected by that.

1 Did I read that correctly?

2 A. Yeah, I think that's what I just said.

3 Q. And just so we're clear, the next step that you
4 mentioned in your panel remarks --

5 MR. MCKEON: And let me pull that back up, Slide
6 32.

7 Q. (By Mr. McKeon) This next step that you mentioned in
8 your panel remarks for solving the network problems as
9 operating systems technology to manage the way applications
10 connect on these smartphones, you conceived that while you
11 were at Qualcomm; isn't that right?

12 A. Not at all, sir. So as I testified already, I started
13 off thinking that it would be something in network
14 operating systems. And that -- I didn't possibly have time
15 nor was it relevant to this panel to insert all of the
16 facts relating to this hearing here today, and it would
17 have been a waste of everybody's time.

18 So I want to be very clear here. I started off
19 thinking MVNOs, network operating systems, deep packet
20 inspection would be a way to solve some of these problems,
21 and I took a hard right turn, and that's the part that's
22 not in this comment. It's a passing comment. It's -- I
23 think it's not very rational to try to use this passing
24 comment to represent reams of facts involved. And what I
25 was referring to here was network operating system

1 technology when I talked to Paul.

2 Q. Sir, I don't mean to be irrational, I'm just trying
3 to -- trying to get to the bottom of this and rely on
4 public statements that you made -- these are statements
5 that you made and you said you presented this idea to the
6 CEO of Qualcomm and you talked about the disaster on the
7 network that we've been talking about this morning. And
8 then you said that the Qualcomm CEO said, no, I'm not
9 interested, so I left is what you said.

10 That's what you said on the panel; isn't that
11 right?

12 A. What I said on the panel, sir, had absolutely no way to
13 include all the facts that we're talking about here, and
14 I've testified to that extensively in a couple of different
15 hearings now.

16 It just would have been impossible and irrelevant
17 for me to try to include all of the things that would have
18 been completely uninteresting to the listeners of the
19 session. It was irrelevant to the topic, and I would have
20 gone way over my time. So I just don't think it's
21 reasonable to take a passing comment, given all the facts,
22 all the testimony under oath, all the evidence and try to
23 read into it all the things you're trying to read into it.

24 Q. Well, there's no doubt that you conceived and reduced
25 to practice at least certain portions of the Headwater 2,

1 this case, claimed inventions as early as September 2008,
2 correct?

3 A. No.

4 MR. MCKEON: Well, let's pull up Tab -- Slide 33
5 if we can.

6 Q. (By Mr. McKeon) This is an interrogatory response
7 filed in this case, sir, and you see at the bottom here
8 this response?

9 A. Yes, sir, I've seen this before.

10 Q. It says: Conception and reduction to practice of
11 certain portions of the claimed inventions occurred as
12 early as September 2008.

13 Did I read that correctly?

14 A. Yes, sir.

15 Q. That's what your lawyers are submitting on your behalf
16 in this case; isn't that right?

17 A. You know, I think it's standard. I don't know where
18 this came from. They certainly didn't ask me about it.
19 They certainly didn't read the record to do this because
20 it's not true. And I think they're just saying it could
21 have happened as early as September 2008. I think that's
22 all this says.

23 We've never claimed priority to 2008. We could
24 not claim priority to 2008, as I've very fulsomely
25 testified. So this is not relevant to the priority date.

1 Q. Well, sir, my friends over here on this table are very,
2 very good lawyers, and they submitted this interrogatory
3 for us to review in this case. And they're very clear
4 here, no speculation, the claimed inventions occurred -- or
5 certain portions of the claimed inventions occurred as
6 early as September 2008. That's what the interrogatory
7 says, correct?

8 A. That's what it says, sir.

9 Q. And you believe --

10 A. And I believe that maybe they're referring to the ah-ha
11 moment, I have no idea. But I did not have any embodiments
12 required for -- you know, to support the limitations in
13 this -- these patents by that time. That was just a false
14 statement if -- if you try to assert that. I don't know
15 what they're referring to here. I wasn't involved. And I
16 just don't know how this stuff works. I'm not an attorney.

17 Q. And what we do know is, sir, that in -- in around the
18 middle of 2008, you and Headwater began meeting with
19 various handset manufacturers, wireless carriers, and
20 electronic retailers to discuss possible business
21 opportunities; isn't that right?

22 A. I think you're referring to a typo. That would have
23 been 2009, and I testified to that in my deposition. So
24 you're bringing up a typo again that I've already testified
25 to.

1 Q. Well, let's --

2 A. That, in fact, is the typo I was getting confused about
3 earlier.

4 MR. MCKEON: Well, let's pull up, if we can, Tab
5 35 -- or Slide 35.

6 Q. (By Mr. McKeon) And this is, again, an interrogatory
7 response. And this is an interrogatory response that we
8 received, sir -- just so you know, we received this --
9 received this in the Headwater 1 case, which is the 422
10 case; we received this response in the Headwater 2 case,
11 which is this case; and we received this response in the
12 Headwater 3 case.

13 And you're saying that -- that these very good
14 lawyers over here, they have a typo in Headwater 1, they
15 have a typo in Headwater 2, and they have a typo in -- typo
16 in Headwater 3? Is that your testimony?

17 A. What I can say, sir, is this sentence on the face of it
18 is a typo because it says in the fall of '08, I founded
19 Headwater Partners, and in the middle of '08, Headwater
20 Partners was talking to handset manufacturers. It is a
21 typo, sir.

22 Q. But we've already established we know for certain you
23 met with Best Buy before you left Qualcomm; isn't that
24 right? It was September 9 to 11, correct?

25 A. You know, again, I'm not sure what the context was. I

1 have definitely testified that I did discuss with Best Buy
2 the fact I was leaving. They asked me to come to this
3 meeting. They were, you know, recruiting me for a couple
4 of months to come to this meeting. They told me what they
5 wanted me to present at the meeting. It drove my timing to
6 leave Qualcomm, and I told Dr. Jacobs about it before I
7 left. And I said it's -- it's the reason I need to leave
8 now.

9 I don't think there's anything whatsoever
10 inappropriate with that. And this, sir, is a typo.

11 Q. Okay.

12 A. And on the face of it, it's a typo. The two sentences
13 don't go together. I can't found something in the fall and
14 meet with people in the summer --

15 Q. Okay. So let me --

16 A. -- of the same year.

17 Q. All right. Let's make sure the timeline is clear here.
18 So you had this meeting September 24-25 with Best Buy. Are
19 you with me right now?

20 A. That's when I had the first meeting with Best Buy,
21 September 24, 2008, September 25th, yes, sir.

22 Q. On the flight home from that meeting is the ah-ha
23 moment that you've testified about extensively between the
24 last hearing and this hearing that led to the Headwater 1
25 inventions; isn't that right?

1 A. Yes, sir.

2 Q. And that's moving from the networks to the device,
3 correct?

4 A. Yes, sir.

5 Q. And then only after you had this ah-ha moment, the
6 Headwater 1 ah-ha moment, that you realized there would be
7 a problem in the network that led to the Headwater 2
8 inventions, correct?

9 A. Yes. I'm trying to listen very carefully to what
10 you're saying, yes.

11 Q. And we, of course, know that the Headwater 1, focusing
12 on Headwater 1, that the priority date there is May 25th,
13 2010. So that's -- that's 20 months after the ah-ha moment
14 that you had on the plane ride home; isn't that right?

15 A. Yes, sir. The ah-ha moment led to -- eventually led to
16 the patents in both of these cases, yes.

17 Q. Okay. But let's take one at a time. So I got -- the
18 timeline is right here. You had the ah-ha moment in
19 mid-September of 2008, and then you have your priority
20 date, the filing date, you finally filed a patent on that
21 was 20 months later, May 25th, 2010, correct?

22 A. It wasn't mid-2008, sir. It was toward the end -- it
23 was September 25th-26th, would have been after the meetings
24 that I had the ah-ha moment. And all of the embodiments
25 necessary to support the 422, which you referred to, I

1 think, as Headwater 1, all of those embodiments didn't
2 exist until, you know, spring of 2010.

3 Q. May 10, 2010 exactly, right?

4 A. That was the priority date, yes.

5 Q. Okay. So I got the timeline right, that's 20 months it
6 took you to get all that done; is that fair?

7 A. Not exactly, no. No. It doesn't take that long to
8 write a patent. It was all the development we did to
9 discover additional things that we wanted to add, and those
10 embodiments were necessary to support the claim
11 limitations. It did not take 20 months to write the
12 patent, no, nowhere near that. Probably a few weeks.

13 Q. Okay. A few weeks, but you didn't file the patent,
14 and, you know, time -- time is money in this business. You
15 got to get to the Patent Office first. So you didn't file
16 that until 20 months later after the ah-ha moment September
17 25th, correct?

18 A. Yes. As I testified, we did not have all the
19 embodiments necessary to support all the claim limitations
20 in the first provision of the '354, and that's why we
21 couldn't claim priority back to '354.

22 Q. And the timeline is very, very different, though, sir,
23 in this case, isn't it?

24 A. No.

25 Q. Well, let's look at that.

1 You said you -- you testified -- putting away the
2 iPhone Cingular disaster, what you have said is that you
3 didn't even think about the Headwater 2 inventions until
4 after the Headwater 1 ah-ha moment, right?

5 A. I -- no. You're using Headwater 1 and Headwater 2. I
6 apologize that that confuses me because we actually had
7 entities called Headwater I and Headwater II.

8 Q. Let me try to -- let me try to --

9 A. So I'll just answer your question.

10 Q. I'll try to use 422 and -- in this case. Does that
11 help?

12 A. Sure, that would be very helpful to me. Thank you.

13 Q. Okay. So as I understand your testimony, you didn't
14 even think about the -- the inventions in this case until
15 after September 25th, 2008, after the ah-ha moment in the
16 422 case, correct?

17 A. The ah-ha moment applies to both of these. So the
18 ah-ha moment was the flight back from Best Buy, and then
19 the first time I expressed the ideas that led to the 422
20 case was in October. And those eventually led to the '354.
21 And then additional embodiments that support the
22 limitations in that case were happening in May.

23 In this case, the ah-ha moment occurred. Then I
24 started working on, as the October 23 presentation shows,
25 device assisted services, moving network functions onto the

1 device. And then after that, roughly in November, I
2 started to realize that had caused a different set of
3 problems. So that's the timeline, sir.

4 Q. Okay. So is November then -- so we're clear on this
5 exact timing, is November sort of the ah-ha moment in this
6 case?

7 A. Sometime around there.

8 Q. Okay. So November is when -- after the Headwater 1,
9 the 422 ah-ha moment, sometime in November you had the
10 ah-ha moment in this case, which is you saw it was a
11 problem. I need to solve this new problem that I've
12 created with the 422 inventions, is that --

13 A. I wouldn't say it that way. The problem was created by
14 the general approach to move network technology onto the
15 device, and there were many, many patents that hinge from
16 that ah-ha moment, including the 422 patents, but many
17 others. And all of those inventions required this control
18 of the chatter that was being created by these agents
19 communicating with their servers.

20 So it's not just 422. It's many of the
21 inventions, maybe perhaps most of them. And then that was
22 the second ah-ha, as you described, which would have
23 occurred, you know, sometime around early November probably
24 is the best of my recollection.

25 Q. Early November. Headwater 2 now. So we have November

1 you identified a problem, oh, I need to solve this,
2 November. We've got December. And then January 28th --
3 January 28th, you filed this '354 provisional, which
4 supports the three patents in this case, correct?

5 A. Yes, sir.

6 Q. So that's two -- roughly three months of time between
7 the beginning of November to January 28th when you filed
8 this document, correct?

9 A. Yes, sir.

10 Q. And it took you 20 months -- 20 months in the
11 Headwater 1 case by the time you had your ah-ha moment and
12 you filed in May of 2010, correct?

13 A. Yeah, but that -- not exactly. So, again, it's not how
14 long it took to file the patents. There was additional
15 ah-has that happened, if you will. We're using this term
16 "ah-ha." And within weeks of the ah-ha, we had filed the
17 patent. So it was -- what happened in the 422 case is we
18 were developing products, and we came across new
19 embodiments that we wanted to -- to do something different
20 than what we had in the '354, and the actual -- once that
21 ah-ha happened, it was really very quickly that we filed
22 the patents.

23 So I just think you're mischaracterizing the time
24 it takes to write a patent. It's that the -- the notions
25 that went into that patent in 422 didn't happen until

1 later.

2 Q. Sir, I'm just trying to get the timeline right. And
3 have you every incentive to file as quick as can you at the
4 Patent Office. I know that you know that.

5 A. Yes, sir.

6 Q. And all I know is this. I'm a simple man. I can do
7 math. That's all I -- but I can barely do that. I know
8 that you had the ah-ha moment after this flight home,
9 September 25th, 2008, and you didn't file -- a lot of
10 things happened in the middle of all of that.
11 Dr. Raissinia left Qualcomm and all of that, and you didn't
12 file the actual application until May of 2010. We know
13 those dates, correct?

14 A. We filed very similar things, but they didn't have all
15 of the embodiments we needed to support the 422 claims.

16 Q. Sir?

17 A. So there was an additional ah-ha that was required to
18 recognize additional embodiments required for the 422. And
19 that's why it took so long. It wasn't the time it takes to
20 write a patent.

21 Q. Sir, I'm not trying to -- I'm just -- I just want to
22 know about the timeline. It's 20 months, right?

23 A. It was 20 months before we filed the additional patent.

24 Q. And it was three months in this case, correct?

25 A. Yeah, but that doesn't have anything to do with how

1 long it takes to write a patent.

2 Q. Well, what we do know is it's many, many months, right?
3 It's over a year we know when you filed the Headwater --
4 when you filed the Headwater 2 inventions in this case from
5 the iPhone disaster on the Cingular network at the
6 beginning of 2008, correct? Well over a year you had of
7 time to think about things and file in January of 2009 this
8 '354 application; isn't that right, sir?

9 A. No, I wouldn't characterize it that way at all, sir. I
10 don't think that's how I would say that. That's
11 misleading.

12 Q. Well, one thing we do know is you understand that the
13 acronym SMS is for short message service; isn't that right?

14 A. I believe that's what SMS means, yes.

15 Q. And that involves a server sending a message to a
16 device without the device requesting the message, correct?

17 A. I think that's generally one of the features of SMS,
18 yes.

19 Q. And SMS is a type of push message, correct?

20 A. Some might characterize it that way. I'm not sure I
21 would.

22 Q. And -- and you understand that Headwater's expert,
23 Mr. de la Iglesia, agrees that an SMS is a type of push
24 message?

25 A. I have not read his report, sir.

1 Q. All right.

2 MR. MCKEON: Let's look at Slide 43, which is an
3 excerpt from his deposition.

4 Q. (By Mr. McKeon) Question, he was asked: And under
5 your description of push-model network communications,
6 would that include the SMS message I get telling me to vote
7 for Kamala Harris or Donald Trump?

8 Answer: So I think it's -- you would think of it
9 as using a push method.

10 That's what Dr. de la Iglesia says, right?

11 A. That's what these words say, sir.

12 MR. MCKEON: Now, let's pull up, if we can,
13 Tab 11, which is an email exchange you had with Randy
14 Menna.

15 Q. (By Mr. McKeon) And Randy Menna is a co-founder of
16 ItsOn with you; isn't that right, sir?

17 A. Co-founder is a loose term. So he was working with
18 me -- he's the fellow that I brought to Best Buy with me to
19 listen to what they wanted us to do or to listen to the
20 vendor presentations.

21 MR. MCKEON: Let's pull up Slide 37, which is Tab
22 12.

23 Q. (By Mr. McKeon) This is an excerpt of a presentation
24 you gave to Best Buy; isn't that right?

25 A. Yes, sir.

1 Q. And it wasn't a loose term, "co-founder," in the
2 presentation that you gave to Best Buy, was it?

3 A. We characterized him as a co-founder, but, again,
4 that's a very loose term. He's the person that I went to
5 Best Buy with to -- he had a lot of network experience,
6 and, you know, they wanted me to bring somebody that, you
7 know, had -- I didn't have much experience in this area at
8 all, like pretty much zero, and he had a lot of experience.
9 So they wanted me to show that I could recruit people that
10 had the background to do this. And Randy was a great
11 person for that, very, very knowledgeable. And he worked
12 with me for a while, and then he had his own consulting
13 business, so he didn't stay at ItsOn.

14 MR. MCKEON: All right. Let's go to Tab 11. For
15 the record, it's the Headwater Document Number 69643 to 46.
16 And let's go to the end. I want to go the September --
17 strike that. I want to go to the Friday, September 12th,
18 2008 email.

19 Q. (By Mr. McKeon) And this is an email that's from you;
20 isn't that right?

21 A. It looks like it, sir.

22 Q. And it's to Randy, who's Mr. Menna; isn't that right?

23 A. That's what it looks like, sir, yes.

24 Q. And this is September 12th, so you're still at Qualcomm
25 when this email is sent; isn't that right?

1 A. Yeah, that -- I would still be at Qualcomm.

2 Q. But you're very careful not to use your Qualcomm email
3 address. You're using your personal email address,
4 mimoguy; isn't that right?

5 A. Yeah, of course. Exploring future opportunities, I
6 wouldn't use my business email.

7 Q. And one of the things you say here: We will need to go
8 back and forth on this, but can you take a crack at
9 defining the following: All the core functions that ItsOn,
10 an MMSP, provides in the managed service solution?

11 Do you see that?

12 A. Yes, sir.

13 Q. And, of course, this is a week before you left Qualcomm
14 on September 19th; isn't that right?

15 A. Yes, sir.

16 Q. And then on September 12th, you asked Mr. Menna -- I
17 just read that into the record.

18 Okay. So now let's go now to your -- the response
19 rather on the first page of the exhibit, September 13th,
20 response. And this is Mr. Menna responding to your email.
21 You see that?

22 A. Yes, sir.

23 Q. Okay. And, again, this is before you left Qualcomm;
24 isn't that right?

25 A. Yes, sir.

1 Q. And in this email we see here on the next page, you go
2 to the text, No. 3, it discusses an SMS messaging. Do you
3 see that?

4 A. Yes, sir.

5 Q. And it says here, SMS: Do we want to access the
6 carrier's SMCS (sic), or do we want to use an aggregator?

7 Do you see that?

8 A. Yes, sir.

9 Q. He mentions using an aggregator in his email back to
10 you as a solution; isn't that right?

11 A. Yeah, but that's not the same aggregation you were
12 talking about earlier. You're word matching something
13 that's different. This is very different.

14 Q. Well, we know that aggregating messaging is something
15 that you say is the subject matter of the inventions in
16 this case, and we know that SMS is push messaging; isn't
17 that right?

18 A. This isn't aggregator, sir. This is a company that
19 aggregates SMS. This is not -- has nothing to do with my
20 inventions. This is just standard. We were trying to go
21 to Best Buy and represent what they'd asked us to represent
22 that we kind of knew how to help them with an MVNO. Randy
23 was an expert in that area, I was not. These are very,
24 very basic standard industry things. An aggregator is an
25 aggregator that aggregates SMS messages.

1 Q. Well, let's look at your response. You responded on
2 September 21, two days after you left Qualcomm, right?
3 September 21, again, is a two days after September 19th
4 when you left; isn't that right?

5 A. Yes, sir.

6 Q. And you say here: I did not want to think about any
7 details that could be construed as technical content or
8 specific business solution design until I had officially
9 departed from Qualcomm.

10 Do you see that?

11 A. Yes, sir. I was being diligent.

12 Q. You were being -- you consider that being diligent?

13 A. Oh, absolutely, sir.

14 Q. Or do you consider that, sir, sort of a protesting too
15 much, if you know what I'm saying?

16 A. I do not, sir, at all.

17 Q. But what we do know is that the technical discussions
18 you had with Mr. Menna before you left, all happened before
19 you left Qualcomm on the 19th; isn't that right?

20 A. I wouldn't even call these technical. We were just
21 trying to make a -- you know, be prepared to go to Best Buy
22 and ask -- to do what they had asked us to do. That's all
23 this is.

24 And I -- as I testified, I do -- I did not have
25 the experience. Best Buy wanted me to bring someone who

1 did. He's basically bringing me up to speed, and just so
2 that we can, you know, make the calling card presentation
3 that we made when we went to Best Buy.

4 Q. And you understand that Qualcomm has many pre-existing
5 patents on aggregated messaging; isn't that right?

6 A. I wouldn't know, but it could be. I wouldn't be
7 surprised at all.

8 MR. MCKEON: Well, let's pull up Slide 47 as an
9 example of a Qualcomm patent, 9,332,424.

10 Q. (By Mr. McKeon) And do you see the title: "Centrally
11 Managed Solution for All Device Management Activities"?

12 Do you see that?

13 A. Yes.

14 Q. And it was filed August 7th, 2006, and it issued in
15 2016. So it was pending while you were at Qualcomm; isn't
16 that right?

17 A. I don't know.

18 Q. Well, it was filed in 2006, August.

19 A. Yeah. I guess it looks like that, yes.

20 MR. MCKEON: And -- and if we could just peek
21 at --

22 Q. (By Mr. McKeon) Well, the title says: "Centrally
23 Managed Solution for All Device Management Activities."

24 Do you see that?

25 A. I see the title, sir.

1 Q. Okay. And you see Claim 1 and 7. Let's take a quick
2 look at that. It talks about a centralized platform
3 separate from mobile devices. Do you see that?

4 A. I see the claim, sir.

5 Q. It talks about centralized device management server.
6 Do you see that?

7 A. Centralized platform? Is that what you're referring
8 to?

9 Q. Centralized device management server is on the right in
10 Claim 7.

11 A. Okay. I see that, yes.

12 MR. MCKEON: Okay. You can take that down.

13 Q. (By Mr. McKeon) Now, you hired away Qualcomm employees
14 to work at ItsOn; isn't that correct?

15 A. People who worked at Qualcomm eventually came to work
16 for either Headwater or ItsOn.

17 Q. And one of them was Dave -- a guy named -- a gentleman
18 named Dave Johnson; isn't that right?

19 A. Yes, sir.

20 Q. All right. Now, of course, just the stations between
21 the 422 case and this case, of course, one of them is that
22 in this case, you're the sole inventor; isn't that right?

23 A. Yes, sir.

24 Q. And you -- you agree that the subject matter of the
25 Headwater 2 patents is different than the Headwater 1

1 patents; isn't that right?

2 A. Okay. Headwater 2, so the --

3 Q. So let me --

4 A. -- the 103 case patents are different than the 422,
5 yes, sir.

6 Q. I'm trying to use less numbers to keep it clear, but
7 I'll try to use your vernacular.

8 The 103 case here, the patent -- the subject
9 matter of the patents is different than the 422 case; isn't
10 that right?

11 A. They're different claims, and they cover different
12 inventions, yes, sir.

13 Q. And, in fact, Headwater 1 actually involved blocking --
14 blocking applications from network access to save
15 batteries; isn't that right?

16 A. The 103 case had limitations that included that, yes.

17 Q. And as you've testified earlier, that 103 case involved
18 moving things from the network that were conventionally
19 done on the network to the device; isn't that right?

20 A. As a general statement, it led to the ah-ha, yes, sir,
21 that first ah-ha, and -- you know, after the Best Buy
22 meeting.

23 Q. And the Court had previously found that the key event
24 to examine is when Dr. Raleigh shifted his thinking from a
25 network-side solution to a device-side -- device-side

1 solution. Do you recall that?

2 A. I believe that's correct, sir, in the -- in the ruling.

3 Q. And the Headwater 2, of course, in the 103 case, the
4 inventions here do not represent a shift from network to
5 the device, correct?

6 A. No, I wouldn't agree with that. They are necessary to
7 shift from the network to the device. They're absolutely
8 critical for that. So I would not -- I would not agree
9 with what you just said.

10 Q. Well, let's take a look at that.

11 MR. MCKEON: Let's pull up Slide 84.

12 Q. (By Mr. McKeon) This is the '117 patent; isn't that
13 right?

14 A. Yes, sir.

15 Q. And the title of the patent uses -- uses the words
16 "network system"; isn't that right?

17 A. Yes, sir.

18 Q. And the abstract of the '117 states -- if we go to the
19 abstract on Slide 85: The network message server delivers
20 messages to the device messaging agent on behalf of a
21 plurality of network application servers.

22 Right?

23 A. Yes, sir, but there's a device messaging agent, and
24 that -- the messaging agent and the server agent -- the
25 server are critical for enabling device-assisted services,

1 so --

2 Q. But there's no question, sir, in this case we're
3 dealing with network components, network servers, and
4 indeed this case presents a case about network
5 architecture; isn't that right?

6 A. Sir, it involves network servers, but it also involves
7 the device messaging agent. So I think you're
8 mischaracterizing it. This, again, is critical for
9 implementing my first ah-ha. So I would not agree that
10 this was not related.

11 Q. Okay. Well, but you agree with me that in this case,
12 it's about network architecture; isn't that right?

13 A. No. I think that's a generalization that I wouldn't
14 agree with.

15 It says right here that there's a messaging agent
16 on -- on behalf of a plurality of application servers. So
17 this is a part of the solution. There's a device-side part
18 where you can write a claim on the device side of it. You
19 can write a claim on the network side it. And you can
20 write a claim that involves both the device and the
21 network. So, again, this is a critical component for
22 enabling device assisted services.

23 MR. MCKEON: Let's pull up Slide 86.

24 Q. (By Mr. McKeon) This is an excerpt from Mr. Dell.
25 He's your damage expert in the Headwater 2 case. And he

1 said: The patents at issue presented a network
2 architecture. And he goes on to say other things.

3 But do you agree that the patents at issue in this
4 case presented a network architecture?

5 A. I don't know. I have never seen this. And I just
6 described it the way I would describe it.

7 Q. All right. So you disagree -- you don't know one way
8 or another whether -- your expert that's representing you
9 in this case, you don't know one way or the other whether
10 he's right when he characterizes the patent --

11 A. Sir, I would have to read his report to make a
12 comment --

13 Q. Sir, if you don't mind, listen to my question, and then
14 you can answer, okay?

15 You don't know one way or the other whether the
16 expert that's representing -- that's testifying on behalf
17 of Headwater in this case, the 103 case, you don't know
18 whether he's right when he characterized the patents at
19 issue as presenting a network architecture? You don't know
20 one way or the other, correct?

21 A. Sir, I would have to read his report to comment on your
22 question. I haven't read his report. I don't -- I can't
23 comment on the snippet that you're showing me. He's a very
24 clever guy. I'm sure he has a good rationale for what he's
25 saying. I'm just saying it's not the way I just described

1 it, and I would have to read his report to give you a
2 fulsome answer, sir, and be accurate.

3 Q. Well, you do agree that an important aspect of the
4 asserted patents in this case is the use of a network
5 messaging server that communicates both with end-user
6 devices and with application servers? You agree with that,
7 right?

8 A. Is that in the claim?

9 Q. Sir, I'm just asking you a question.

10 A. Okay.

11 Q. Do you agree with that? An important aspect of the
12 asserted patents in the use of a network messaging
13 server -- is the use of a network messaging server that
14 communicates both with end-user devices and with
15 application servers. Do you agree with that?

16 A. It's -- a key component of the inventions is to have
17 a -- as I testified earlier, is to have a messaging server
18 that uses a messaging link to a device agent on the device,
19 and, of course, both of those are absolutely critical to
20 implement the invention.

21 MR. MCKEON: Well, let's pull up Slide 87.

22 Q. (By Mr. McKeon) Again, this is Dr. --
23 Mr. de la Iglesia's expert report. He says himself, these
24 are technical experts who reviewed the patents. He says --
25 these are his words --

1 THE COURT: Mr. McKeon, why are we focusing on
2 experts? This sounds like something you're developing for
3 your trial, not something for this hearing.

4 MR. MCKEON: Your Honor, just -- I have one more
5 question on this, but I'm trying to establish the
6 difference between the Headwater 1 technology and the
7 Headwater 2 technology. And I'm trying to establish that
8 here we're dealing with network and network architectures,
9 and all their experts agree with that.

10 So when we look at the conception issues, which
11 are important for this hearing, that we're grounded in the
12 right issue, the right technology, not this -- not this
13 shift from the network to the device. That's all I'm
14 trying to establish, Your Honor, just that one technical
15 point.

16 THE COURT: All right.

17 Q. (By Mr. McKeon) And last question, you understand that
18 Mr. de la Iglesia, your technical expert, says an important
19 aspect of the asserted patents is the use of the network
20 messaging server that communicates both with end-user
21 devices and with application servers?

22 A. This is entirely consistent with what I just said, sir.
23 So when he says communicate both with end-user devices, he
24 would mean specifically the messaging agent on the end-user
25 devices. And I just testified to this, and I couldn't

1 agree more.

2 Q. And you agree, sir --

3 MR. MCKEON: You can pull that down.

4 Q. (By Mr. McKeon) You agree that the asserted patents in
5 this case require servers which are not devices in the
6 parlance of this case, correct?

7 A. Again, you require both aspects. These are essential
8 for implementing my original idea of device assisted
9 services. It turned out I needed something else in order
10 to make that successful, and this is absolutely essential
11 and inextricably linked to, you know, implementing this
12 idea to move network functions down to the device.

13 Q. Now, shifting to the documentation, your habits related
14 to documenting your inventions, of course, an important
15 issue here is about the evidence of your conception. And
16 you agree with me that you haven't presented any inventor
17 notebooks in this hearing; isn't that right?

18 A. That's not my practice to keep a notebook. As I
19 testified earlier, I have exchanges with patent counsel,
20 and it's just a better way, in my opinion, for me to, you
21 know, work on patents.

22 Q. And some of those exchanges I understand that you
23 withheld from production because you're saying those are
24 privileged; isn't that right? So we're not able to see
25 those; isn't that right?

1 A. They are privileged. They're communications with
2 counsel. I -- I didn't withhold them. That's -- they're
3 just privileged information, and the team has withheld
4 them.

5 Q. Okay. And I'm going to --

6 MR. MCKEON: If I can pull up Tab -- Tab 5.

7 Q. (By Mr. McKeon) And, sir, I'll -- this is a -- we're
8 getting Tab 5 pulled up.

9 MR. MCKEON: And if I can go to Page 10.

10 Q. (By Mr. McKeon) This is an interrogatory response,
11 sir, at Page 10.

12 We're getting that pulled up, sir, here.

13 Okay. And this is an interrogatory response, sir,
14 that identifies by Bates number the technical or other
15 documentation reflecting Headwater's designs and
16 inventions. Do you see that?

17 A. What are you referring to, sir? Which part -- portion
18 of Page 10?

19 Q. He just blew it up here. This is an interrogatory
20 response. It's identifying all the documents -- the
21 technical or other documentation reflecting Headwater's
22 designs and inventions during this time period.

23 You see this?

24 A. I see the words, sir.

25 Q. Okay. And would it surprise you that none of the

1 documents listed here, sir, discuss aggregated messaging?

2 A. I wouldn't know.

3 Q. Okay. And none of them discuss push messaging; would
4 that -- push messages. Would that surprise you?

5 A. No.

6 MR. MCKEON: And if we pull up Slide 55.

7 Q. (By Mr. McKeon) Which is a -- Claim 1 of the '117
8 patent, would it surprise you, sir, that none of the claim
9 terms I've listed here -- highlighted are in any of the
10 documents that Headwater listed in its interrogatory
11 response? Would that surprise you?

12 A. No, I wouldn't be surprised by that.

13 MR. MCKEON: You can take that down.

14 Q. (By Mr. McKeon) Now, I want to talk about Qualcomm and
15 some of the testimony you gave today about your dealings
16 with Qualcomm.

17 In January 2009, you wrote to Qualcomm's CEO, Paul
18 Jacobs, correct?

19 A. I think you're referring to the email we looked at
20 earlier, yes, sir.

21 Q. Yes. And you say you told him in your email that the
22 technology is very complementary and additive to QC's
23 products and IP strengths; isn't that right?

24 A. Yes, sir, I believe that's what I said. I don't have
25 it in front of me, but it sounds familiar.

1 Q. And -- and I wrote this down, and I believe I got it
2 right when I wrote it, that you said you -- at this time,
3 you showed the CEO, Mr. -- Dr. Jacobs some of the Headwater
4 patents. Did you say that in your direct testimony?

5 A. I don't know that Paul personally looked at the
6 patents, but certainly his team did, yes.

7 Q. Okay. But is it fair to say, sir, at this point in
8 time in this -- this 2009 time frame, did you have any --
9 in January 2009, you didn't have any Headwater patents; is
10 that right?

11 A. Which date are you referring to? I mean, I hadn't
12 shown anything as of the email. The disclosures came later
13 after we signed an NDA. So I'm not sure what you're
14 asking.

15 Q. Well, let's get to Slide 59, which is the email you
16 testified about. This email, as you know, is dated January
17 7, 2009. Do you see that?

18 A. Yes, sir.

19 Q. And -- and you testified earlier today -- I believe I
20 heard you say that you showed them some -- the Headwater
21 patents. But you agree with me, sir, in 2009, you didn't
22 have any Headwater patents?

23 A. I'm not sure what you're saying, so I'll just say what
24 I testified to. So, first of all, there's an NDA that had
25 to be executed. And after the NDA was executed over time,

1 we had many, many meetings, and in many of those meetings,
2 we discussed the patents, and I brought patent materials,
3 absolutely. At this stage, I wouldn't have done that
4 because we didn't have the NDA signed yet.

5 Q. And --

6 A. And I might -- I could have shared what we had at this
7 point because we probably were pretty close to filing a
8 patent. It's only a couple of weeks -- a few weeks before,
9 but it wouldn't have had everything that ended up in the
10 patent.

11 Q. Okay. Well, I mean, it's your belief that the patented
12 technology in this case would now be inside of Qualcomm's
13 SDK package instead of inside of Android and iOS if issues
14 had not come up that prevented you from working together;
15 isn't that right?

16 A. Okay. I just want to make sure I understand what
17 you're asking. Which comment that I made are you referring
18 to?

19 Q. Sir, I'm just asking you a question.

20 A. Okay.

21 Q. It's your belief, right, that the patented technology
22 in this 103 case would now be inside of Qualcomm's SDK
23 package instead of inside of Android and iOS if issues had
24 not come up that prevented you from working with Qualcomm,
25 correct?

1 A. The -- the rationale I used with Paul as to why I
2 thought this would be very valuable to their business is it
3 allowed Qualcomm to go up and add value above the
4 connection, which is their modem chipsets. And this is
5 core value that ended up being in lots of operating
6 systems. And I believe had the issues with the internal
7 retractor not come up so that it created friction in the
8 business relationship, Qualcomm would have greatly
9 benefitted, and they would have been years ahead of what
10 eventually came to market in some of the other operating
11 systems. And I think that would have been great for
12 Qualcomm, and that's exactly what I pitched them at the
13 time.

14 Q. But the issues that came up were the -- were, of
15 course, Qualcomm's legal team, they had concerns over IP
16 ownership issues at the time; isn't that right?

17 A. There were some vague assertions made, sir.

18 Q. Let's -- let's --

19 A. As I testified, maybe I did something while I was at
20 Qualcomm. Never specific, never, you know -- you know,
21 never I did do it. Nothing specific whatsoever.

22 Q. Well, let's -- let's take a look at that.

23 MR. MCKEON: Let's pull up Tab 21.

24 Q. (By Mr. McKeon) This is in the record. This document
25 is an email. You mentioned Mr. David Wise earlier. Do you

1 recall that, sir?

2 A. Yes, sir.

3 Q. Yeah, okay. This is an email. And in the email,
4 Mr. Wise tells you: QC, that's Qualcomm's legal team,
5 continues to have concern over the IP ownership issue.

6 Isn't that right?

7 A. That's what the words say, sir.

8 Q. And then he continues to say: To resolve the IP
9 concerns, we would agree -- we would like to agree that
10 Qualcomm get an additional 5 percent ownership interest in
11 ItsOn.

12 Do you see that?

13 A. That's what the email says, sir.

14 Q. So this is sort of a settlement of a claim. We have
15 concerns because we think -- you know, we own the stuff you
16 have. But let's just forget about all that, and you give
17 us 5 percent of the company, and let's move on together as
18 a team. That's -- that's really what he's saying here;
19 isn't that right?

20 A. No, sir, that's not at all how I would characterize how
21 this went. You're using words that I don't think apply
22 here.

23 Q. Well, what we do know is there's nothing vague or
24 insinuation about what he's saying here. He's very direct
25 with you. They have concerns about ownership.

1 A. It's entirely vague, sir. What concerns -- you know,
2 what are you talking about? What do you think I invented?
3 When do you think I invented it? What patent are you
4 talking about? What specific embodiments? What
5 limitations? It is entirely vague, sir. Nothing
6 whatsoever of substance was communicated to us.

7 Q. What we do know is, though, you asked Qualcomm for a
8 release so they would release Qualcomm's claim of
9 ownership; isn't that right?

10 A. We said we're tired of this. The only way for us to do
11 business with you all is to completely extinguish the
12 possibility of this -- these vague insinuations being made.
13 It's causing tremendous friction in the business. We don't
14 want to spend any more time on it.

15 If you want to work with us, you will have to
16 release all claims in the future so this never comes up
17 again. We were frustrated and offended. And we said
18 that's a prerequisite to doing business with us. There
19 were more people who wanted to do business with us. They
20 were also frustrated, and they attempted to create a
21 release so that we could do business together.

22 Q. Okay.

23 A. Then someone still wanted a percentage, and we said no.

24 Q. Well, you said, no, but Qualcomm would not accept your
25 release. They actually deleted the language from the draft

1 agreement, correct?

2 A. I wouldn't put it that way, sir. They said the
3 release, as I testified earlier, was too broad, and, you
4 know, they had a point because I literally could have done
5 anything under the sun with the release my lawyers first
6 came up with. I do think it was too broad. That's how
7 these things go. They eventually settled out to a release
8 that seemed reasonable, but they still wanted a percentage,
9 and we said no, sorry, you cannot have something for
10 nothing.

11 Q. Well, let's make sure we're clear.

12 MR. MCKEON: Let's pull up Tab 23.

13 Q. (By Mr. McKeon) Tab 23 is the red line of the document
14 you sent them. And if we look at D, that's the release
15 language. And then the redline they sent back to you,
16 Qualcomm deleted that language. You agree with that,
17 correct?

18 A. They deleted lots of language, sir. This is how these
19 things go.

20 Q. Okay. And you talked about Mr. Wise on your -- on your
21 direct testimony about how -- about how -- I think he
22 didn't recall some certain things about his interactions
23 with you. Did I hear that correctly?

24 A. That's roughly what the testimony was. I was asked a
25 series of questions.

1 Q. And 2009 is when all this discussion we're talking
2 about went down; isn't that right?

3 A. Yes, sir.

4 Q. And, in fact, you considered suing Samsung for
5 infringement in 2017; isn't that right?

6 A. I don't know. I don't know what you're referring to,
7 sir.

8 Q. Well -- all right.

9 MR. MCKEON: Let's pull up Slide 66.

10 Q. (By Mr. McKeon) And this is an admission that you
11 gave, sir, and it says here: Admit that Headwater was
12 discussing whether to sue Samsung for patent infringement
13 with one or more law firms in 2017.

14 The answer is: Admit.

15 So you were considering suing Samsung in 2017;
16 isn't that right?

17 A. I wasn't involved in this, to be honest. Someone
18 looked at a record and decided that that was true, I
19 suppose. I don't know anything about it.

20 Q. But you actually didn't sue Samsung until 2022; isn't
21 that right?

22 A. Yes, sir.

23 Q. So the fact that Mr. Wise in his deposition couldn't
24 recall conversations that he had in 2009 regarding advances
25 of prior employment, which were many, many years later,

1 that shouldn't surprise anybody, should it?

2 A. I don't know how to answer that, sir.

3 Q. One thing we do know is that you couldn't recall in
4 your deposition names of the Qualcomm people that you were
5 dealing with at the time; isn't that right?

6 A. I'm very careful under oath. And if I am not positive
7 I remember someone's name, I'm not going to speculate
8 because then that could be false testimony.

9 Q. So you in your deposition, you didn't want to
10 speculate. You couldn't recall. But here in the
11 courtroom, you had names that you mentioned, including
12 Mr. Wise; is that fair?

13 A. Yeah, there were some documents that confirmed what I
14 remembered. And, again, if I'm not positive under oath, I
15 don't say anything.

16 Q. Now, there's a document that you referenced in your
17 direct, and it was a product overview of a January 6th,
18 2009 presentation. And you talked about a figure there.
19 You had a -- some slides on it that you finished with. Do
20 you recall that?

21 A. Yes, sir.

22 Q. And let's pull up -- let's talk about the slides.

23 MR. MCKEON: And if we can pull up, let's see
24 here...

25 Q. (By Mr. McKeon) Let me ask you this question. There

1 was a mention of a service control. Do you recall that, in
2 the technical documentation in that product presentation?

3 A. Those were words that were referred to in a variety of
4 ways, sir.

5 Q. Well, let me ask you this question. You pointed to a
6 figure in that document. Do you recall that in your direct
7 testimony?

8 A. We were talking about the January 6th document?

9 Q. Yes.

10 A. Yes, sir.

11 Q. You stated this figure was later put into the patent
12 application. Do you recall that?

13 A. I did not say that. I said it's very similar to some
14 figures that went into the patent application. It wasn't
15 quite as mature as the patent application --

16 Q. Did you know that --

17 A. -- but it was getting close.

18 Q. Did you know that the first time we heard anything at
19 all, as far as I can tell, as far as we can tell from
20 looking at record, about this figure and how it related
21 somehow to a figure in the patent, did you know it was
22 today when you were testifying was the first time we heard
23 that?

24 A. I don't know that, sir. I don't know what -- you guys
25 go back and forth.

1 Q. Did you know it's not in any of the briefs -- in the
2 briefs that we had in this hearing and all the setup for
3 this? The documentation may have been cited, but no figure
4 was mentioned, no -- no, this was a conception evidence?
5 Did you know that it was not even mentioned by anybody
6 until your testimony today?

7 A. I didn't --

8 Q. Does that surprise you?

9 A. -- I didn't testify in your briefs, sir. I'm
10 testifying here today.

11 Q. What we do know is that you don't even know what
12 service control means; isn't that right?

13 A. I think you're referring to tricky questions I was
14 given during the many hours of depositions, and I -- I
15 don't -- when applying to a claim, I'm extremely careful
16 about claim language and what claims mean. And I generally
17 don't try to characterize little snippets of a claim in a
18 general way that could be misconstrued.

19 Q. Well, let's pull up your deposition.

20 MR. MCKEON: Tab 92.

21 Q. (By Mr. McKeon) The question was: Did you work on
22 service control of mobile devices at Qualcomm?

23 Answer: I'm not sure what you mean by service
24 control? What do you mean by service control?

25 That was your answer to that question, correct?

1 A. Yes. And as I just answered you a moment ago, I said
2 specifically service control are words that were used in a
3 variety of ways and what I testified to previously, but the
4 term "service control" takes on very specific meaning in
5 the context of claim limitations and something that we own.
6 And service control could mean many, many different things
7 in those words isolated by themselves, and it's -- it's
8 just not productive to try to characterize what service
9 control all by itself without the context of the other
10 surrounding words in a claim would mean.

11 Q. And this -- the document, the January 6, 2009
12 presentation that you testified about, you understand it
13 says nothing about push messaging, correct?

14 A. Push messaging, again, could mean almost anything. I'm
15 not sure that's relevant, sir.

16 Q. Okay. It doesn't use the word "aggregated" or
17 "aggregated messaging." You understand that, right?

18 A. These are just word match games, sir. I don't think
19 that's relevant. But it doesn't specifically say
20 aggregated. Aggregated has a plain English meaning. It's
21 clearly a meaning that applies to the figure because
22 there's an aggregation of communications between the
23 various applications/agents on the device and the service.
24 Aggregation applies to that single control channel that's
25 there. It's a plain English word that would apply.

1 Q. And what we do know, sir, is that this January 6th,
2 2009 documentation, the document that you referenced in
3 your direct, that is within the one year period after you
4 left Qualcomm; isn't that right?

5 A. I think you asked me that a few times now. You're
6 asking specifically is January --

7 Q. This document I'm referring to, the January 6, 2009 --

8 A. Yes.

9 Q. Within one year after you left Qualcomm; isn't that
10 right?

11 A. Yes, it is, sir. Yes.

12 Q. Thank you, Dr. Raleigh.

13 MR. MCKEON: Your Honor, I pass the witness.

14 THE COURT: All right. We will break until 1:45.

15 COURT SECURITY OFFICER: All rise.

16 (Recess.)

17 COURT SECURITY OFFICER: All rise.

18 THE COURT: Good afternoon. Please be seated.

19 Mr. Davis, do you have any redirect examination?

20 MR. KRIS DAVIS: Yes, Your Honor. Thank you.

21 THE COURT: All right. In that case, Dr. Raleigh,
22 if you would come back.

23 MR. KRIS DAVIS: And we're ready to proceed, Your
24 Honor.

25 THE COURT: Go ahead.

1 MR. KRIS DAVIS: Thank you.

2 REDIRECT-EXAMINATION

3 BY MR. KRIS DAVIS:

4 Q. Dr. Raleigh, did Mr. McKeon show you anything in
5 cross-examination indicating that you conceived of any
6 claimed invention while you were at Qualcomm?

7 A. Nothing whatsoever, sir.

8 Q. Now, Mr. McKeon showed you a patent that was assigned
9 to Qualcomm. Do you recall that?

10 A. Yes.

11 Q. That was at Tab 15 in your cross-examination binder.
12 Do you still have that binder?

13 A. Yes, sir.

14 Q. Okay. Please go ahead and turn to Tab 15.

15 And do you see this is U.S. Patent No. 9,332,424?

16 A. Yes, sir.

17 Q. Now, let me show you something that Mr. McKeon didn't
18 show you.

19 MR. KRIS DAVIS: I have something to distribute if
20 that would be okay with the Court.

21 THE COURT: All right.

22 THE WITNESS: Thank you.

23 Q. (By Mr. Kris Davis) So, Dr. Raleigh, what we've handed
24 you is an assignment record for the same U.S. Patent No.
25 9,332,424. Do you see that patent number at the top of the

1 page?

2 A. Yes, sir.

3 Q. And this is a Patent Office assignment record like we
4 looked at together during your direct examination for the
5 Headwater patents, right?

6 A. Yes, sir.

7 Q. So like we did before, let's trace through the
8 assignment history from the bottom, starting with the first
9 assignment.

10 Okay. So the first assignment -- do you see this
11 is an assignment from presumably the inventors to the
12 assignee Bit Phone? That's Assignment No. 1 at the bottom
13 of the document.

14 A. Assignments here, yeah. Yes, the first assignment is
15 the Bit Phone by what looks to be the inventors.

16 Q. Okay. And if we go up from there, what is the next
17 assignment?

18 A. The next one is from bit phone to Hewlett Packard.

19 Q. All right. And can you speak up just a little bit,
20 Dr. Raleigh?

21 A. Sure. Sorry about that.

22 Q. Thank you.

23 Okay. Let's move up to Assignment No. 3. What is
24 that assignment?

25 A. This one is a little different. It's a conveyance

1 from -- it's an assignor, Hewlett Packard, and a conveyance
2 of assignor's interest.

3 Q. Okay.

4 A. Not exactly sure what that is.

5 Q. I see. Any mention of Qualcomm that we've seen yet?

6 A. No, sir.

7 Q. All right. Let's work up the chain again to

8 Assignment 4. Any mention of Qualcomm here in

9 Assignment 4?

10 A. No, sir.

11 Q. All right. Let's go on to Assignment 5. Any mention

12 of Qualcomm here?

13 A. No, sir.

14 Q. All right. Assignment 6, do you see that one on the

15 first page?

16 A. Yes, sir.

17 Q. Any mention of Qualcomm?

18 A. No, sir.

19 Q. Okay. Well, I see on Assignment No. 7 -- do you see

20 this is an assignment from Hewlett Packard to Qualcomm?

21 A. Yes, sir.

22 Q. And can you tell me what the execution date of that

23 assignment is?

24 A. January 23rd of 2014.

25 Q. All right. So this did not become a Qualcomm patent

1 until 2014?

2 A. Correct, sir.

3 Q. All right. Now, Mr. McKeon's point was that Qualcomm
4 developed this back in 2005, 2006, according to the
5 priority date of the patent, right?

6 A. That's what he tried to insinuate, sir.

7 Q. Does this assignment record show that's the case?

8 A. No.

9 Q. Why not?

10 A. Well, it was -- went through many assignments, and it
11 was -- I would guess it was a purchase by Qualcomm, and
12 they didn't have it until 2014. And it wasn't a Qualcomm
13 person that worked on it, and this has no evidence that
14 anyone at Qualcomm was working on this at all.

15 Q. All right. Now, based on your experience at Qualcomm,
16 are you aware of Qualcomm working on aggregated messaging
17 like you described with regard to the asserted patents?

18 A. Nothing like our asserted patents, no, sir, not that
19 I'm aware of. I don't know everything Qualcomm does, but
20 not to my knowledge.

21 Q. Understood.

22 Based on your experience at Qualcomm, were you
23 aware of anyone at Qualcomm working on device messaging
24 agents while you were there?

25 A. Not that I'm aware of. Again, there could have been,

1 but I wouldn't be aware of that.

2 Q. And were you aware of anyone at Qualcomm working on
3 secure interprocess communication services while you were
4 at Qualcomm?

5 A. No. That's getting pretty particular, and I certainly
6 wouldn't know of anybody working on that.

7 Q. All right. And now even if someone at Qualcomm in some
8 other department or what have you was working on something
9 like that, did you personally work on anything like
10 those -- those things at Qualcomm?

11 A. No, not -- no, not at all. I had absolutely no
12 exposure to these kinds of things, as I've testified, and
13 it didn't come up until, you know, the November 2008 time
14 frame. And you described some limitations that start
15 getting pretty specific, so I'm not even aware of prior
16 art. So it would be doubtful anyone at Qualcomm was
17 working on it.

18 Q. All right. Thank you.

19 Mr. McKeon also asked you about an interrogatory
20 response regarding priority date. Do you recall that?

21 A. Yes, I think so. I'm -- I think I'm following you.

22 Q. Okay. Let's turn to Tab 5 in your cross-examination
23 binder.

24 A. Okay.

25 Q. Do you see that?

1 A. Yes, sir.

2 Q. Okay. Now, if we focus on Page 9 at the bottom of the
3 page. Do you see there's a sentence that reads: Various
4 features of the asserted patents were conceived of and
5 reduced to practice by the named inventor between fall 2008
6 and no later than the filing of the '354 application?

7 Do you see that?

8 A. I see various features of the inventions of the
9 asserted patents. That's the last line on the page. Is
10 that what you're referring to?

11 Q. Oh, yes, that's right, sir. That's correct.

12 A. Okay. Yes, I see that.

13 Q. Okay. And that's consistent with your invention
14 timeline, isn't it?

15 A. Yes, that's -- that's very -- that's -- yeah,
16 precisely.

17 Q. Okay. Now, Mr. McKeon asked you about a reference in
18 this response to the, quote, middle of 2008. Do you recall
19 that?

20 A. Yes, I think I do. I think that was the -- what I felt
21 was a typo.

22 Q. And I believe you said you explained that at the
23 evidentiary hearing in the 422 case, Headwater 1; is that
24 right?

25 A. I believe I did, yes.

1 Q. Okay. So let's turn to the next page here.

2 Do you see at the bottom of the page Headwater's
3 third supplemental response dated September 6th, 2024?

4 A. Are we still on Page 10?

5 Q. Oh, I'm sorry. Yes, I can tell you. So we're still on
6 Tab 5 of the cross-examination binder.

7 A. We're on Page 10?

8 Q. Now we're looking at Page 10, that's right.

9 A. Yes, I see that. Yes.

10 Q. And you see near the bottom of the page, there's a
11 third supplemental response to Interrogatory No. 1 dated
12 September 6th, 2024?

13 A. It's under -- oh, third -- it's the title. Yes, I
14 see --

15 Q. Yes, I'm sorry.

16 A. -- the text, I'm sorry.

17 Q. Okay. And do you see if we look at the substance of
18 the response, you'll see here in this first sentence that
19 the response incorporates by reference some things. Do you
20 see that?

21 A. Yes.

22 Q. Now, if we look on to Page 11 at the end of this
23 paragraph, do you see this says: Dr. Greg Raleigh's
24 testimony at the July 25th, 2024 hearing?

25 A. Yes, sir.

1 Q. And was that the evidentiary hearing where you
2 addressed this, quote, middle of 2008 language?

3 A. Yes, I believe that's the date.

4 Q. Okay. You also recall that Mr. McKeon said he was
5 surprised about us referring to the January 6th, 2009
6 presentation here when we're discussing your invention
7 timeline?

8 A. Yes, sir.

9 Q. So let's take a look again at Page 10 of this same
10 document.

11 Do you see up near the top of the page, there's a
12 title "Second Supplemental Response to Interrogatory No. 1"
13 dated May 17th, 2024?

14 A. Yes, sir.

15 Q. And do you see near the bottom of this first
16 paragraph -- it looks like it's the third to last sort of
17 entry in this string of citations. There's a citation to
18 HW103_00014134. Do you see that?

19 A. So third from the bottom. Oh, second from the bottom
20 or third?

21 Q. It looks like there are -- if you count from the very
22 last citation and go back --

23 A. Which is --

24 Q. -- one, two, three.

25 A. Which is 497? 497 is the last one?

1 Q. Yes, that's right. 497.

2 A. Okay. Go from there?

3 Q. Yes.

4 A. And then go up two?

5 Q. Go back two citations.

6 A. Oh, two citations.

7 Q. So it's one line above right where you were looking.

8 A. Okay.

9 Q. Do you see that refers to HW103_00014134?

10 A. I see 13316521442554363.

11 Q. I'm not sure where you're reading, Dr. Raleigh.

12 So the first paragraph, there's -- the last
13 citation is to 404 -- 4094 through 4097. Do you see that?

14 A. Oh, I see, yes. They're inclusive. Okay.

15 Q. Yes.

16 A. Oh, I see.

17 Q. Go back one to 4123 to 4133. You see that?

18 A. Yes, I see. Okay. Okay. I didn't notice that they
19 were inclusive. So, yeah, there's a 14134 to 14165.

20 Q. Yes. Thank you.

21 A. Yes.

22 Q. All right. So now can you turn to your direct
23 examination binder? Do you still have that?

24 A. Yes, sir.

25 Q. Okay.

1 A. Which --

2 Q. And if you could turn to Exhibit 19?

3 A. Of -- okay. That would be Volume 2.

4 Q. That's right. Yes, Volume 2.

5 A. Yes, sir.

6 Q. Now, is Exhibit 19 the January 6th, 2009 presentation
7 that Mr. McKeon was surprised about?

8 A. Yes.

9 Q. Okay. Can you read for us the Bates number at the
10 bottom of this document?

11 A. HW103_00014134.

12 Q. And that's the same Bates number we saw in the
13 interrogatory response?

14 A. Yes, sir.

15 Q. All right. Now, you left Qualcomm on September 19th,
16 2008; is that right?

17 A. Yes, sir.

18 Q. About when did you start talking with Dr. Jacobs about
19 your plan to potentially leave Qualcomm?

20 A. You know, roughly four months before that, I would say,
21 plus or minus.

22 Q. Around four months you said?

23 A. Roughly.

24 Q. Did you talk with Dr. Jacobs about if you left
25 Qualcomm, you might potentially move on to other ventures?

1 A. Oh, yeah.

2 Q. Did -- did Dr. Jacobs know about any -- anything you
3 might be considering working on?

4 A. Yes. We discussed several things I might go do,
5 including potentially working with Best Buy.

6 Q. So you talked with Dr. Jacobs about potentially going
7 to Best Buy?

8 A. Yes. And I mentioned to him that was driving my
9 timing, and I just didn't have any more time to keep
10 discussing things. My daughter was going to graduate that
11 year, and there was a specific meeting at Best Buy that I
12 really wanted to attend, as I testified earlier.

13 Q. So you're saying Dr. Jacobs knew that there was a
14 September 2008 meeting with Best Buy and that that was part
15 of what was driving your timing to leave September 19th?

16 MR. MCKEON: Your Honor, I know this is not a jury
17 trial, but the leading here on redirect is really too much,
18 so I object to that.

19 THE COURT: All right. Mr. Davis, try not to lead
20 the witness.

21 MR. KRIS DAVIS: Understood, Your Honor.

22 A. I can answer that, if you want.

23 Q. (By Mr. Kris Davis) Please.

24 A. Yeah, I testified to that earlier. So it's not new in
25 this hearing. And I believe there's even documents that

1 corroborate this.

2 Q. So Mr. McKeon asked you about how you incorporated
3 companies and talked with Best Buy, how you -- before you
4 left Qualcomm?

5 A. Yes, sir.

6 Q. Is any of that relevant to the invention timeline you
7 described?

8 A. No, sir.

9 Q. Is any of that relevant to the substance of the
10 presentations you showed?

11 A. No, sir. Incorporating an LLC so that you have a
12 corporate umbrella to do business from has, you know,
13 nothing to do with invention. It's just a corporate
14 umbrella so that you're not exposed as an individual in
15 your business dealings.

16 Q. All right. Mr. McKeon asked you about a network
17 congestion problem that I believe you discussed in your
18 deposition and maybe also your panel remarks. Do you
19 recall that?

20 A. Yeah, he asked a variety of questions along those
21 lines.

22 Q. Was this network congestion problem a one-time event?

23 A. No. No, it was a developing I would say trend in the
24 market, and the more popular the first iPhones and Androids
25 became, the more problematic it became.

1 Q. Was this a problem that happened the day the first
2 iPhone launched?

3 A. No, it wasn't evident until there were enough iPhones
4 on the network to start causing problems.

5 Q. Were you talking about this being a problem -- or let
6 me rephrase.

7 At this point in time, did you have any solution
8 to a network congestion problem?

9 A. No, no, I -- you know, around the time I began working
10 on the embodiments for the '354 provisional, it was just
11 something that I was becoming more and more aware of. And
12 I knew that it would become more and more important as
13 more -- more Androids, more iPhones, you know, went on the
14 network.

15 So it was a problem that had to be solved, and I
16 thought that, you know, some of these ideas could
17 contribute to that solution. And there weren't a lot of
18 other people thinking along these lines, none that I know
19 of really at that time.

20 Q. All right. Let's turn to Tab 11 in your
21 cross-examination binder.

22 Do you recall Mr. McKeon asking you about this
23 email?

24 A. Let's see which one this is.

25 Did he ask me about the -- are we talking about

1 the HP email string?

2 Q. I think if you turn to the page that ends in 69644,
3 around the middle of the page, this may jog your memory.

4 A. Oh, are we on the cross-examination binder?

5 Q. Oh, yes, I'm sorry.

6 A. Okay.

7 Q. Yes, the cross-examination binder, Tab 11.

8 A. Sorry about that. Yes, I recall this.

9 Q. Okay.

10 A. I recall that Mr. McKeon asked me questions about this.

11 Q. And do you see near the middle of the page that there
12 is this phrase: Do we want to use an aggregator?

13 A. I remember him saying that. I haven't located it again
14 here. Are we -- which part are we on?

15 Q. Yes. So we're -- we're in Tab 11 and the page ending
16 69644. Do you see that at the bottom of the page?

17 A. Yes, now I see -- yes, I see under 3, SMS.

18 Q. That's right. So there's a heading 3, SMS, and there's
19 a sentence that reads: Do we want access to the carriers'
20 SS -- SMSC, or do we want to use an aggregator?

21 A. Yes, sir.

22 Q. So it seemed like you were taking issue with the use of
23 the word "aggregator" here?

24 A. Yes.

25 Q. Can you explain why?

1 A. Well, he -- he's just word matching, and he doesn't
2 understand what this means. So this is not aggregation of
3 traffic between absent servers. This is an aggregator as
4 in a business.

5 So an SMS, there's businesses that provide SMS
6 services to MVNOs and others, and they're an aggregator of
7 SMS. So you can contract with those businesses instead of
8 building your own SMS network or instead of using a
9 carrier's network.

10 So that's -- it had nothing to do with the
11 aggregation of communication in the sense of my patents.
12 It was just a word match that failed.

13 Q. All right. Now, you recall, of course, talking about
14 your agreement with Qualcomm, right?

15 A. Yes, sir.

16 Q. And Mr. McKeon showed you the agreement?

17 A. Yes, sir.

18 Q. Do you recall him asking about the word "idea"
19 appearing in the agreement?

20 A. Yes, sir.

21 Q. Do you recall when we looked at the agreement during
22 direct that it also says conceived and reduced to practice?

23 A. Yes, of course.

24 Q. Have you ever heard that phrase before, "conceived and
25 reduced to practice"?

1 A. Yes.

2 Q. What context have you heard of that?

3 A. In the context of patents and inventorship and priority
4 dates and, you know, legitimate conception of an invention.

5 Q. Are you aware of Samsung disputing that the standard
6 for conception applies here?

7 A. No, I don't know that they've ever disputed that. I
8 would doubt it.

9 Q. All right. On cross, Dr. Raleigh, did Mr. McKeon show
10 you anything indicating that Qualcomm raised a claim or an
11 insinuation about patent ownership in 2017 when Qualcomm
12 offered to purchase patents?

13 A. No, sir. He did not.

14 Q. Did Mr. McKeon show you anything indicating that
15 Qualcomm raised a claim in 2022 when there were additional
16 discussions about purchasing Headwater patents?

17 A. No, sir.

18 Q. Now, the timeline you've described here, we talked
19 about there being a September 24th Best Buy meeting, right?

20 A. Yes, sir.

21 Q. Okay. And was there a presentation associated with
22 that meeting?

23 A. September 24, 2008?

24 Q. Yes.

25 A. Yes, sir.

1 Q. And did that presentation include any information about
2 the inventions at issue here?

3 A. No, sir, not at all.

4 Q. I think you also talked about your trip back home from
5 the September 24th, 2008 Best Buy meeting, and what
6 happened again on your way home?

7 A. Well, I had recognized by that time that there was a
8 problem that was important that was not being properly
9 solved by the conventional technology. And I was thinking
10 is there a way to turn the problem upside down and look for
11 white space and an easier way to do it, which is my
12 practice, and I got excited because I did come up with a
13 notion, an inkling that perhaps I can do the functions that
14 people were trying to implement in the network on the
15 device.

16 And then I realized that that would offer a lot of
17 advantages that were not available in the network, and it
18 got me thinking in the direction of device assisted
19 services. It wasn't an invention at that point. It wasn't
20 even embodiments. It was just an idea, an inkling, perhaps
21 this could bear fruit.

22 Q. And did we see anything about that new device-side
23 approach in any presentation?

24 A. Eventually, yes. About a month or so later, I was able
25 to begin trying to reduce to practice. I thought I'd be

1 able to write patents quickly, but it turned out I couldn't
2 because there was quite a bit of prior art. And it was
3 just more difficult to really work through the -- how to
4 implement it than I thought originally.

5 And by October, I still had the idea, but I didn't
6 really have patentable material at that point, I would say.
7 Just started having some -- a few drawings and a few ideas,
8 a few very rudimentary embodiments, and then we began our
9 prior art searches at that point.

10 Q. And did that presentation from about a month after the
11 Best Buy meeting, so around October 2008, did that describe
12 the claimed invention here?

13 A. No. No, as I said, it didn't even cover the first idea
14 which was, you know, how to implement device assisted
15 services. It just had the idea. Here's an idea. And it
16 certainly didn't have anything whatsoever, and I hadn't
17 conceived yet of the inventions in this case.

18 Q. And what led you to starting to think about the
19 inventions at issue here?

20 A. So as I mentioned is I did start developing concrete
21 embodiments and start looking at what kind of agents you
22 would need on the device to implement the notion of device
23 assisted services. Those agents needed to be -- have the
24 information exchanged with their servers. And my original
25 concept was essentially a one-to-one connection between

1 agents and servers, much like the way the market was
2 working between applications and servers at the time.

3 And I realized, after I stepped back from the
4 first rudimentary embodiments, that that was going to
5 create a big problem. And that -- that's what led me to
6 start thinking about, you know, an aggregated messaging
7 channel and all the other embodiments. But that was just
8 the beginning at that point, and that would have been after
9 the October meeting, sometime in November.

10 Q. And did we ultimately see anything that reflected a
11 solution to that new chatter problem?

12 A. See anything today?

13 Q. Oh, I'm sorry, yes. See anything during your
14 presentation?

15 A. Yes, yes. That was the January 6th where there was a
16 very concrete drawing by that time that began to have some
17 of the embodiments that would support the limitations in
18 this case.

19 Q. And what does that timeline of events show about when
20 you conceived the inventions at issue here in relation to
21 your time at Qualcomm?

22 A. Well, it reflects basically exactly what happened.
23 There was an inkling about the device assisted services.
24 There was a presentation to Best Buy saying this is the
25 direction I'm going to go, but not yet really a full

1 understanding of even device assisted service embodiments,
2 much less the secondary invention of the aggregated control
3 channel -- messaging channel.

4 And then it shows that by January, I had that
5 notion pretty firmly entrenched in my mind, and I was able
6 to write down very concrete figures that support text
7 eventually in the patent and support therefore the
8 limitations in the -- when I say patent, I mean '354, and
9 those -- the text and the figures support the limitations
10 in the claims here.

11 Q. All right. Thank you, Dr. Raleigh.

12 MR. KRIS DAVIS: We'll pass the witness.

13 THE COURT: All right.

14 MR. MCKEON: Nothing further, Your Honor.

15 THE COURT: Thank you, Mr. McKeon.

16 Thank you, Dr. Raleigh. You may step down.

17 THE WITNESS: You want me to take these down with
18 me or just leave them here?

19 THE COURT: You can leave them, that's fine.

20 I understand from your joint notice that that is
21 all the testimony that's going to be offered.

22 I've got time -- I can hear about 10 minutes of
23 argument from each side if the parties desire to present
24 it.

25 MR. MCKEON: Mr. Thornburgh is going to present

1 closing, Your Honor. And if I may, I'm going to approach
2 to hand out slides.

3 THE COURT: All right.

4 MR. THORNBURGH: May I proceed?

5 THE COURT: Yes, sir.

6 MR. THORNBURGH: First of all, thank you, Your
7 Honor, for convening this evidentiary hearing.

8 The Court has now seen there are many different
9 Headwater cases with -- each with different facts,
10 different patents. And the facts of this particular case,
11 what we call Headwater 2 or the 103 case, are particularly
12 inconsistent with standing.

13 I think we've seen that here today when it turns
14 out that the key conception document is -- are these
15 January 2009 slides. And, yes, the Bates number is buried
16 in the rog response, but the Court has never seen that
17 document before. It's not in the briefing, no one filed it
18 with the Court, and it's the key document apparently.

19 So I think that goes to the credibility of -- of
20 their argument that this is a last-minute desperate idea at
21 the hearing. It's not something that they thought of
22 before.

23 We also saw that Dr. Raleigh abandoned his
24 counsel's interrogatory responses about what happened in
25 2008 about when he started working on soliciting ItsOn

1 customers in the middle of the year. Even if he didn't
2 have the name of the company or incorporated yet, the rog
3 says he started working on it, and that he conceived in
4 September, at least some parts of the invention.

5 He says that's a typo, but it's a typo that
6 they -- they have repeated. And, you know, I think we're
7 entitled to rely on their official discovery responses.

8 Now let's look at some of the other evidence we've
9 seen.

10 This -- this slide, I think, is -- is useful to
11 the Court because, you know, we think that this case is
12 very different than the key facts in the previous case and
13 this summarizes the -- you know, what I see as the three
14 main differences that here we have a solo inventor, so you
15 don't have to worry about what Dr. Raissinia did. Here, we
16 have an agreement -- or at least Headwater asserts that the
17 priority date is January 2009. So it's clearly within the
18 one year.

19 And we heard Dr. Raleigh just say several times
20 today, including just a few minutes ago, that he doesn't
21 contend that the -- you know, the October slides that the
22 Court relied on in the first case reflect the invention in
23 this case at all. So those slides are not the answer.

24 Here's the timeline. Headwater has been talking
25 about a timeline, and I think the timeline is actually

1 super important.

2 And what we can see here is, you know, the period
3 that Dr. Raleigh was employed at Qualcomm in blue. And we
4 know from the interrogatories that he started meeting with
5 potential Headwater customers while he was at Qualcomm in
6 the middle of 2008. We saw emails with his co-founder of
7 ItsOn, Randy Menna. Those emails are dated 9/12 and 13,
8 which are, you know, undisputedly before he left Qualcomm.

9 And there's, you know, significant technical
10 content in those emails, whether or not -- you know, he's
11 quibbling about the exact meaning of the words. He says
12 it's just a word search gone bad, but it's technical, and
13 it says some important stuff that I'll get to in a minute.
14 But it happened while he was at Qualcomm.

15 And then he incorporated his companies, both ItsOn
16 and Headwater, while he was still at Qualcomm.

17 Then he left, and within a few months, filed the
18 application that Headwater says supports all the patents in
19 this case.

20 The contract the Court's seen before, but there's
21 applications of this contract to these facts that are
22 different. And so I want to highlight some aspects of the
23 contract that matter here.

24 In Section 1.1, we know that inventions include
25 ideas, whether or not they're patentable, so they could be

1 less than the whole claim. They -- it includes, you know,
2 ideas that he either had solely or with others. He agreed
3 to disclose all of these inventions to the company, and he
4 agreed that they belonged to the company.

5 1.4 covers -- it says that there's a presumption
6 that Qualcomm owns patents filed or inventions disclosed
7 within one year unless the employee proves conceived after
8 employment. And so one -- one point that's going to --
9 that matters is that, you know, if the Court is inclined to
10 rely on those January slides that were disclosed to Best
11 Buy, Qualcomm owns those slides, presumptively. They were
12 disclosed to Best Buy within the one year.

13 And I would also point out that, you know, it's
14 not surprising that he had a draft of his patent three
15 weeks before he filed it. You know, those figures are
16 complicated. So there's -- there's no chance that they
17 just sprung into existence on January 28th. Some artist
18 was drawing them. But that doesn't mean that's he -- when
19 he conceived the idea.

20 Now let's look at this Menna email. So we saw
21 that on September 12th, a week before he leaves Qualcomm,
22 he asked his co-founder to take a crack at defining core
23 functions of ItsOn. And Dr. Menna responds the next day
24 and talks about SMS, which is messaging. And he mentions
25 an aggregator and notification.

1 Dr. Raleigh says, you know, this is just word
2 searching gone bad. And, you know, we're not saying that
3 this is exactly the claim, but it certainly discloses some
4 of the key concepts of aggregating messages. And, you
5 know, we would submit that it's at least as good as the
6 word matching that he's done in trying to rely on later
7 Best Buy's slides, but here we have a document that's while
8 he's at Qualcomm.

9 Even more important is that Dr. Raleigh has
10 testified that the patents in this case solved critical
11 problems that brought down the Cingular network when the
12 iPhone was connected. So it's not just that, you know,
13 this is somehow related to that. Dr. Raleigh testified
14 that his patent solved that problem, and that problem
15 happened way before November of 2008. And so when -- his
16 separate story that his patents are meant to address, you
17 know, shifting to the device side in November is completely
18 inconsistent with his story that his problems solved this
19 iPhone problem.

20 And next slide. More testimony from the record
21 where Dr. Raleigh clearly admitted that the network problem
22 with the iPhones began to develop while he was at Qualcomm.
23 So there was some testimony about exactly when this
24 happened. You know, we think their expert's admitted that
25 it was early 2008. But whether it was January or later in

1 the year, it was while Dr. Raleigh was at Qualcomm.

2 This is the quote -- the next slide are quotes
3 from -- from the expert that, you know, helps confirm the
4 timing.

5 And we saw this during -- during cross, as well,
6 that Qualcomm was affected like everyone in the industry.

7 So now we look back at this panel remark, and, you
8 know, we're well -- well aware of what the Court said about
9 the panel remark in the previous case, but the difference
10 here is we're focusing on a different part of the
11 paragraph. And it's much more specific that -- that, you
12 know, now he's talking about this disaster on the network,
13 which sounds exactly like what he said about the iPhone.
14 And he's saying that not only did he recognize the problem
15 but that he told Jacobs, the CEO, the solution before he
16 left Qualcomm.

17 And he said the next step, the solution, is OS
18 technology to manage the way applications connect to these
19 new smartphones. That's exactly what we're talking about
20 with the aggregated messaging, how the applications connect
21 to the network.

22 And so, yes, I'm sure this is an abbreviated
23 discussion of history that Dr. Raleigh gave on the panel.
24 He was limited in time, but what he's now asking the Court
25 to believe is that it's completely made up, that it's not

1 abbreviated, that -- that he didn't really tell Dr. Jacobs
2 at all about the disaster on the network or the solution to
3 it.

4 This slide, again, is what I've already talked
5 about. I just want to touch on the evidence that supports
6 these differences between the two cases. No dispute that
7 Dr. Raleigh is the sole inventor.

8 No dispute that Headwater asserts the January 2009
9 priority date.

10 No dispute that they're not relying on the October
11 2008 slides anymore for this case.

12 And, you know, this case, yes, it involves both
13 servers and devices, but it's not a shift from the network
14 to the device. It's very much about the network and how
15 you connect with the network. And this is some of the
16 testimony in the record in Slide 17 that supports that.

17 Now I want to turn to some of the new case law
18 that the Court has seen in the -- in the briefing in this
19 case that we didn't have in the previous case.

20 One case in particular that I think is important
21 is this NTP case, 654 F.3d 1279. It only appears in a
22 block quote of another case that we -- that we cite, so --
23 but I think it's worth reading. It shows just how very
24 specific the Federal Circuit gets in looking at the
25 documents to evaluate the invention date.

1 And in this NTP case, the Federal Circuit found
2 that the key thing was that the precritical date documents
3 just said messaging and pager and that the post-critical
4 date documents said email, and email apparently was, you
5 know, critical to the invention.

6 You know, I might think that messaging includes
7 email, but they were very, very specific at looking -- in
8 looking at the words of the documents and comparing them to
9 the invention evidence.

10 And here, Dr. Raleigh and Headwater just don't
11 have anything close to this. They -- they do not have a
12 date of document that suggests -- you know, offers any
13 support for this new Ah-Ha 2 moment that supposedly
14 happened in November. He says in November, I thought that,
15 you know, there's going to -- that the previous invention
16 is going to clog the network so I better have aggregated
17 messaging. There's not a single document in November that
18 has any of those words. And it's something we've never
19 heard before until here today.

20 And so we submit that they have not come close to
21 meeting their burden of proving the conception date.

22 And Dr. Raleigh said something on his redirect
23 that I thought was important, which is that he said, you
24 know, in his understanding, conception, as used in the
25 Qualcomm contract, was linked to the normal patent law

1 definition of conception. Well, the normal patent law
2 definition of conception is what Your Honor sees on this
3 page in NTP and the other cases similar that we filed --
4 we've cited. Conception requires, you know, specific
5 corroboration with documents.

6 And I won't spend time on this next slide because
7 we've now very well established that the October slides
8 don't have anything to do with this case.

9 So then we turn to this long list of Bates
10 numbers. And, yes, sure, the January 2009 slides are
11 buried here as a Bates number, but nowhere in this
12 interrogatory response does Headwater say, oh, and our
13 conception document is this particular one from January
14 2009. Nowhere did Dr. Raleigh say that in any of his many
15 depositions that we've taken. Nowhere does Headwater say
16 that in their four briefs they filed on -- you know, for --
17 leading up to this hearing. First time we heard about it
18 was here today.

19 We did this in cross. The next slide just shows
20 some of the key terms in one of the asserted claims. We
21 searched all of those documents that they listed, couldn't
22 find any -- any one of these highlighted terms in any of --
23 any of the supposed documents related to invention.

24 So this is probably the most important point
25 because I know the -- I know the Court is very concerned

1 about asking Dr. Raleigh to prove a negative. And I want
2 to say again, you know, we're not asking for that. We
3 agree with the Court that that would be too hard to prove a
4 negative. But what we are asking for is evidence of when
5 he conceived the invention.

6 We think that that -- that's required by Federal
7 Circuit cases, including this Hybritech case that we've
8 cited in this briefing. And that this is the normal
9 conception standard that Dr. Raleigh says should apply.
10 And, you know, Dr. Raleigh knew this was a problem. He saw
11 this coming. I mean, if we can take anything else from his
12 email two days after he left Qualcomm when he wrote
13 Mr. Menna and said I'm being real careful not to say too
14 much until I officially leave, he knew he had a problem.

15 And so the way he could have addressed this
16 problem is to have dated documents, notes, you know,
17 preferably with a notary, but, you know, at least a dated
18 invention record, not just relying on, you know, almost
19 finished patent filing figures.

20 He didn't do any of that. And -- and, you know,
21 he said again today like he said last summer, well, maybe
22 he worked with his attorney. But he's asserted privilege
23 on that. So that's sword/shield. They cannot rely on an
24 invention record that they haven't disclosed to us.

25 And we know applying the normal conception

1 standard that Dr. Raleigh says should apply, that the
2 Federal Circuit has said repeatedly that even the most
3 credible inventor testimony is required to be corroborated
4 by independent evidence. And here we just don't have it.
5 There's nothing for the November Ah-Ha 2 moment.

6 So I'll talk just briefly about Qualcomm. The
7 bottom line on Qualcomm's behavior is that the people that
8 were closest to the issue back when Qualcomm was closest to
9 this issue, Qualcomm did assert ownership. The -- you
10 know, it seems to have been forgotten later, and no mention
11 of it in 2017 and 2022, but those were different people.

12 And, you know, Mr. Wise -- Dr. Raleigh summarized
13 his deposition as saying he couldn't even remember this
14 issue coming up.

15 And, you know, I think that's for two reasons.
16 One, it was a long time ago.

17 And, two, it was just a whole lot less important
18 to Qualcomm than it is to Headwater that, you know,
19 Headwater says everybody uses this technology, but the
20 jury, you know, last month said no, that we actually don't
21 use Headwater technology. So it's just not that important
22 to Qualcomm to have -- have spent the money to file a case.

23 But that doesn't mean that Headwater gets a free
24 pass in establishing standing, which is their burden.

25 Slide 26 is -- is the David Wise email back when

1 he couldn't remember what was going on, and he was writing
2 to Dr. Raleigh and saying that Qualcomm's legal team
3 continues to have concern over ownership.

4 I'll skip over that.

5 So in the -- in the binder is another timeline --
6 can we put up what I called Slide 29A? Here it is.

7 So this is another timeline. And I think this
8 goes to the heart of the credibility issue in this case,
9 that Dr. Raleigh's story is that in the previous case, it
10 took him 20 months to go from an ah-ha to a filed patent --
11 from, you know, late September 2008 to May of 2010. He
12 claims, we thought, that he had, you know, the idea for
13 this case sometime after Headwater 1. We didn't know
14 exactly, so we said four months. It turns out he's now
15 saying November. First time we've heard that.

16 But -- so it's more like two or three months. And
17 so he goes two or three months from his first inkling to a
18 giant thick -- you know, this. So supposedly he went from,
19 you know, his first inkling in November to filing this a
20 couple of months later.

21 And compared to the timeline for the other case,
22 it's just not plausible. And it's much more plausible that
23 he'd been thinking about it ever since the disaster on the
24 network which he says he solved for the iPhone.

25 So with that, Your Honor, I would like a chance if

1 I may have a few minutes to respond to my colleague, but
2 that's all I have.

3 THE COURT: Thank you, Mr. Thornburgh.

4 MR. KRIS DAVIS: Thank you, Your Honor.

5 Headwater has proven that it has standing to
6 assert the patents-in-suit. No one has ever brought a
7 claim challenging those assignments. No one has ever
8 raised this until Samsung.

9 We have clear inventor testimony from Dr. Raleigh
10 on the timing of his conception. He explicitly confirmed
11 he did not conceive at Qualcomm. He talked about his
12 invention timeline consistent with his employment record,
13 which shows that he was a VP of product management. He
14 didn't work in engineering. He didn't work on these types
15 of technologies certainly.

16 The Court should find Dr. Raleigh's testimony
17 credible, just as it did in the 422 case.

18 We saw, Your Honor, that Samsung has always said
19 we need corroboration. It's our burden to provide
20 corroboration. Well, we've certainly provided it. Just as
21 Dr. Raleigh testified, he had some high-level concepts in
22 mind with that September 24th -- or, I'm sorry, within a
23 month after the September 24th meeting, he had had the
24 initial inkling or notion or ah-ha moment of let's explore
25 device-side technologies, and he did so. And that's what

1 we saw reflected in that October 23rd, 2008 presentation.

2 Now, at this point, he had not conceived of
3 anything related to the inventions at issue here. And
4 that's because, as Dr. Raleigh explained, his -- what he
5 called sort of a second wave or a second ah-ha moment was
6 realizing that as he was building out all these new
7 device-side functionalities and thinking about that, he
8 realized there was going to be a chatter problem and this
9 is going to cause problems.

10 And so how do you address it? He came up with the
11 solutions of the asserted patents here. That's what led
12 him to it. And so that's how we know it was in response to
13 that initial decision to explore device-side technologies.

14 We see that reflected exactly in the record, and
15 nothing that Samsung showed contradicts it. The October
16 23rd, 2008 presentation, we still don't see anything close
17 to what we're talking about in this case. But then in
18 January -- on the January 6th presentation, we see very
19 clear documentary corroboration. What we see is a figure
20 that is very, very similar to figures in the patents.

21 Mr. Thornburgh pointed to the NTP case, and I
22 think what he was conveying from the NTP case was that -- a
23 suggestion that we need to show something more than just a
24 word or two that's found in -- in whatever document we're
25 pointing to for corroboration. Well, we certainly have

1 that, Your Honor.

2 If you compare those two figures side-by-side, the
3 January 6th, 2009 figure and Figure 16 of the patents and
4 other figures in the patents, you see there's striking
5 similarity. And there's certainly more than a word or two.
6 As you can see, they're very, very similar. That's
7 documentary corroboration, plain and simple. There's
8 nothing they have that disputes it.

9 To be clear, Your Honor, this January 6
10 presentation is not a surprise to them. Not only is it in
11 our interrogatory response, Dr. Raleigh testified about
12 this extensively at his deposition.

13 Samsung is the one who asked him about this
14 document. Maybe they chose not to ask him about certain
15 portions of the document, but they're the ones who raised
16 it.

17 Now, I also wanted to be clear, Your Honor, that,
18 you know, we're not saying that the January 6th
19 presentation or that figure in there is the conception
20 document or something like that. Headwater's position is
21 that the priority date is January 28, 2009. That's the
22 filing of the '354 provisional. We are not trying to swear
23 behind prior art like all the cases are about, right?

24 Instead, we are just saying that if you want us to
25 show corroboration, look at the progression of those

1 presentations. You have nothing in those first couple of
2 presentations, nothing like what's in these patents, and
3 then you do have something like what's in these patents.
4 And there's nothing that disputes that.

5 Mr. Thornburgh pointed to nothing. Mr. McKeon
6 pointed to nothing. It shows the idea wasn't there, and
7 then it was there.

8 Now, all the evidence that I've described and that
9 Dr. Raleigh's described shows the conception occurred after
10 leaving Qualcomm. And what I've gone through is far from
11 the only evidence in Headwater's favor. The conduct of
12 Qualcomm over the years plainly shows that even Qualcomm
13 does not believe that it owns any Headwater patents.

14 I think like Dr. Raleigh said, Qualcomm acted like
15 a buyer, not like an owner.

16 Yes, it's true that someone at Qualcomm made some
17 vague insinuation about Dr. Raleigh maybe doing something
18 while he was at Qualcomm, some unnamed person back in 2009,
19 but Dr. Raleigh explained to them, just like he did at this
20 evidentiary hearing, the details of his inventions, exactly
21 when he came up with them, and what led him to the
22 inventions, and notably, Qualcomm didn't pursue any claim.

23 Qualcomm is a very sophisticated company. Surely
24 the Court is familiar with Qualcomm. They are very
25 sophisticated and takes very seriously their intellectual

1 property. We submit, Your Honor, that if Qualcomm
2 sincerely believed and had any shred of proof that an
3 employee had taken intellectual property from them, they
4 would have pursued it. Not only did they not pursue it,
5 they actually did the opposite, Your Honor. They later
6 made offers of millions of dollars to buy the patents, and
7 they even put in writing explicitly that what they were
8 trying to buy was Headwater patents.

9 There's nothing in those documents or any record
10 about, you know, well, we actually think we have ownership
11 of the patents, but we're going to go ahead and buy them
12 anyway. You don't see anything like that.

13 And the reason is exactly what Dr. Raleigh
14 explained. Headwater wouldn't have even engaged in
15 negotiations again because things were going very well in
16 2009 until someone raised this insinuation, and then the
17 deal broke down.

18 Dr. Raleigh and Headwater would not reengage in
19 2017 or 2022 if the same thing was going to happen. And
20 the reason it didn't happen was because Qualcomm wasn't
21 going to raise an issue. They even said so.

22 Now, we also heard evidence that not Samsung but
23 AT&T took a deposition of David Wise who was a 24-year
24 Qualcomm executive. He was Dr. Raleigh's main business
25 contact, as he said, at Qualcomm during those 2009

1 negotiations. And Mr. Wise had no evidence at his
2 deposition. He had no recollection of any evidence that
3 would support Samsung's argument here.

4 Now, Samsung takes issue with the fact that they
5 didn't attend the deposition, but it's telling, Your Honor,
6 Samsung never even tried to contact him. Mr. Wise
7 confirmed that.

8 Why is it that Samsung, as we told Your Honor in
9 our recent submission on a notice of supplemental facts,
10 why is it that the last time we were in court about this,
11 counsel for Samsung was telling the Court, well, if only we
12 had this name, Mr. Wise, sooner, then we could have
13 obtained the information because he must have relevant
14 information?

15 Of course, they had Mr. Wise's name earlier than
16 that, as the Court actually pointed out. But in any event,
17 that was over six months ago. What have they done? There
18 are all these cases. Have they subpoenaed Mr. Wise? No.

19 At some point, Your Honor -- we've been doing this
20 for 14 months, and at some point, we have to start looking
21 at what Samsung is doing and what they're not doing. If
22 they're not obtaining any relevant discovery from Qualcomm,
23 then at some point, we need to put this to bed and stop
24 suggesting that maybe, possibly someone, some unnamed
25 person could come out of the woodwork some day that has

1 information that's relevant here.

2 Dr. Raleigh asked them for a face-to-face meeting
3 back in 2009 when all the information was fresh, everything
4 was at Qualcomm's disposal, to put their cards on the
5 table, and the meeting never happened.

6 Now, Samsung has nothing to counter all this
7 evidence supporting Headwater. And while Samsung points to
8 emails about setting up ItsOn and early conversations
9 between ItsOn and Best Buy, which we heard from
10 Dr. Raleigh, Dr. Jacobs at Qualcomm knew that Dr. Raleigh
11 was talking to Best Buy. They talked for months about how
12 Dr. Raleigh may be going off to pursue other ventures,
13 including Best Buy. That's what drove his timing of
14 specifically when he left Qualcomm.

15 And the other point, Your Honor, is that none of
16 this is connected to the claims. None of what we saw about
17 the early conversations between ItsOn and Best Buy is
18 connected to the claims here.

19 The word "aggregator" -- I think Dr. Raleigh
20 dispatched with that very well, that we're just finding
21 word matching of a word "aggregator." It's completely
22 different context from what we're talking about in the
23 claimed inventions.

24 And the fact of the matter is, Your Honor, there's
25 no dispute here that the ItsOn software that was sold to

1 customers does not practice the -- or did not practice the
2 asserted patents here.

3 THE COURT: Mr. Davis, I need you to wrap it up.

4 MR. KRIS DAVIS: Understood, Your Honor.

5 So given this one-sided evidence and the credible
6 testimony of Dr. Raleigh, we ask that the Court reject
7 Samsung's arguments and find that Headwater has standing to
8 assert the patents-in-suit.

9 Thank you.

10 THE COURT: All right. Thank you, Mr. Davis.

11 MR. THORNBURGH: May I have two minutes, Your
12 Honor?

13 THE COURT: I'll give you two minutes.

14 MR. THORNBURGH: Thank you.

15 Very briefly, the January 2009 document is not a
16 conception document for the reasons I said before. It's a
17 polished slide show that includes a draft of a patent
18 figure, but it's not even linked by the -- by the Plaintiff
19 to any claim language.

20 That's the point of NTP. It's not that you need
21 more than a word, it's that you need a word that appears in
22 the claims or that is linked to the claims.

23 We also did ask Dr. Raleigh about that document
24 and about that figure, and he never told us that this was
25 the key to the puzzle that proves when he conceived. The

1 first time he ever said that was here today.

2 They also keep saying that he said in the July
3 transcript that -- that he only had an inkling in
4 October -- they keep using this word "inkling" and said
5 that it came from that transcript. I encourage the Court
6 to search. The word "inkling" is not in the transcript.

7 And nor -- when they say that he fixed the 2008
8 typo, that's not in the transcript either. The only time
9 the word "typo" appears in that transcript is in another
10 context.

11 Finally, the Qualcomm contract is tough. It's
12 hard on the employee. This is why Dr. Raleigh keeps saying
13 it's -- it's unenforceable. His lawyers have argued in
14 other context. If they want to bring that argument back
15 up, they need Qualcomm in the case, and it needs to be in a
16 court where Qualcomm is available.

17 But it's tough. That's -- that's why he says it's
18 unenforceable. It's a hard burden to prove that you
19 conceived outside the period of the presumption. And we
20 submit they haven't come close to meeting that very tough
21 burden of proving that the presumption doesn't apply.

22 Thank you, Your Honor.

23 THE COURT: All right. Thank you, Mr. Thornburgh.

24 MR. KRIS DAVIS: Your Honor, would it be possible
25 just one very, very brief point to address?

1 THE COURT: I'm doubting it's possible. I'll give
2 you the opportunity.

3 MR. KRIS DAVIS: Okay. Great. Thank you, Your
4 Honor. I appreciate it.

5 Your Honor, just very briefly, we heard
6 Mr. Thornburgh suggest that there's not a connection
7 between Figure 16 and the asserted claims here of the
8 claimed inventions. What I wanted to illustrate for you,
9 Your Honor, is just that the opening expert report of
10 Samsung's invalidity expert, Ian Foster, specifically
11 discusses Figure 16 at length. He points to this as --
12 when he's describing what the invention at issue in this
13 case is, he uses Figure 16. We see that up on the screen.
14 This is on, just as one example, Page 30 of his opening
15 report. We have hard copies we can distribute to
16 Your Honor, as well.

17 And that was my only point, Your Honor. Other
18 than that, I just have a housekeeping to admit as evidence
19 Exhibit 26, which would be the -- the assignment record for
20 that Qualcomm patent that we talked about on Dr. Raleigh's
21 redirect, and as Exhibit 27, the excerpt from Dr. Foster's
22 report referencing Figure 16.

23 THE COURT: Is there objection to the assignment
24 abstract?

25 MR. MCKEON: No objection to that, Your Honor.

1 THE COURT: All right. I'll -- I'll admit the
2 assignment abstract. I think this portion of the report
3 just coming up during argument is too late at this point,
4 but I also don't think that I need it.

5 So thank you. I'll endeavor to get out a ruling
6 promptly on this.

7 MR. MCKEON: Your Honor, just quickly, can we
8 formally admit the documents that we used today on
9 cross-examination with Dr. Raleigh?

10 THE COURT: I assume that the Plaintiff does not
11 object to that?

12 MR. KRIS DAVIS: No, Your Honor.

13 THE COURT: All right. They are admitted.

14 MR. MCKEON: Thank you, Your Honor.

15 THE COURT: Thank you, and we are adjourned.

16 MR. MCKEON: Thank you, again, Your Honor, for
17 your time.

18 COURT SECURITY OFFICER: All rise.

19 (Hearing concluded at 2:47 p.m.)
20
21
22
23
24
25

CERTIFICATION

I HEREBY CERTIFY that the foregoing is a true and correct transcript from the stenographic notes of the proceedings in the above-entitled matter to the best of my ability.

/S/ Shelly Holmes
SHELLY HOLMES, CSR, TCRR
CERTIFIED SHORTHAND REPORTER
State of Texas No.: 7804
Expiration Date: 10/31/2025

2/22/2025
Date